

IN THE CIRCUIT COURT OF JACKSON COUNTY, MISSOURI
AT INDEPENDENCE

KATHERINE O'HAVER,)
 Plaintiff,)
 vs.)
 ANESTHESIA) Case No:
 ASSOCIATES OF KANSAS) 1816-CV-30710
 CITY, P.C., et al.,) Division 12
 Defendants.)

DOUGLAS TYE,)
 Plaintiff,)
 vs.)
 ST. LUKE'S EAST) Case No: 1916-CV00825
 ANESTHESIA SERVICES,)
 P.C., et al.)
 Defendants.

CONFIDENTIAL
 PAGES 248-372, VOLUME II
 APRIL 15, 2022
 VIDEOTAPED DEPOSITION OF ALBERT VAN DUREN

Page 249

1 APPEARANCES:

2 ON BEHALF OF THE PLAINTIFFS:

3 LANGDON & EMISON LLC

Brett A. Emison (Zoom)

4 Patricia L. Campbell (In Person)

911 Main Street - P.O. Box 220

5 Lexington, MO 64067

6 McDONALD WORLEY

Gabriel Assaad, Esq. (In Person)

7 1770 St. James Place

Suite 100

8 Houston, TX 77006

9 FARRAR & BALL, LLP

Kyle Farrar, Esq. (Zoom)

10 117 Herkimer Street

Houston, TX 77008

11

MESHBESHER & SPENCE, LTD.

12 Genevieve Zimmerman, Esq. (In Person)

1616 Park Avenue

13 Minneapolis, MN 55416

14 ON BEHALF OF DEFENDANTS WESLEY FREVERT, M.D. AND
ROCKHILL ORTHOPAEDIC SPECIALISTS, INC.:

15

NORRIS KEPLINGER HICKS & WELDER, LLC

16 Paul Breer, Esq. (Zoom)

9225 Indian Creek Parkway

17 Corporate Woods, Building 32, Suite 750

Overland Park, Kansas 66210

18

19

ON BEHALF OF DEFENDANT 3M COMPANY AND ARIZANT
HEALTHCARE, INC.

20

21 NORTON ROSE FULBRIGHT US LLP

RBC Plaza

22 Corey Gordon (In Person)

60 South Sixth Street, Suite 3100

23 Minneapolis, MN 55402

24

25

1 ON BEHALF OF DEFENDANTS CENTERPOINT MEDICAL
2 CENTER OF INDEPENDENCE, LLC; CENTERPOINT
3 ORTHOPEDICS, LLC; and GREGORY BALLARD, M.D.:

4 POLSINELLI PC
5 Joshua McCaig, Esq. (Zoom)
6 900 W. 48th Place, Suite 900
7 Kansas City, MO 64112

8 ON BEHALF OF DEFENDANT ROCKHILL ORTHOPAEDIC
9 SPECIALISTS, INC. AND ST. LUKE'S EAST
10 HOSPITAL

11 ADAM & MCGREVEY, P.A.
12 Timothy Davidson (Zoom)
13 9300 West 110th St., Suite 470
14 Overland Park, Kansas 66210

15 ON BEHALF OF DEFENDANTS ANESTHESIA ASSOCIATES OF
16 KANSAS CITY, P.C.; JASON BIBLE, D.O.; and
17 CHARLES HERRING, CRNA:

18 Robert Givens (Zoom)
19 Horn Aylward & Brandy, LLC
20 2600 Grand Boulevard, Suite 1100
21 Kansas City, MO 64108

22 Also present:
23 Ron Huber, Videographer
24
25

Page 251

1	INDEX		
2	EXAMINATION	WITNESS	PAGE
	MS. ZIMMERMAN	ALBERT VAN DUREN	253
3			
4	EXHIBIT	DESCRIPTION	PAGE
5			
6	32 Journal of Arthroplasty Article: Prevention of Infection and Transfer of Pathogen Transfer Chain of Elective Surgery...		313
7			
8	33 Editorial Commentary: Just Getting Warmed Up: Risks, Benefits, and Economics of Active Warming Devices		
9	Bates 3MBH02334986.....		317
10	34 3M Management for Business Fast Facts for Sales Reps		
11	Bates 3MBH02334954-3MBH02334961.....		332
12	35 3M Review of Optimized Management of Patient Normothermia Health Economics Tool		
13	3M 3MBH02331960-3MBH02331964.....		349
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

1 P R O C E E D I N G S

2 THE VIDEOGRAPHER: Today is April 15th,
3 2022. The time is 9:37 a.m. At this time the
4 attorneys for the various parties, will you
5 please introduce yourself and then the reporter
6 will swear in the witness.

7 MS. ZIMMERMAN: Good morning. It's
8 Genevieve Zimmerman. I'm one of the lawyers here
9 for the Plaintiffs.

10 MR. ASSAAD: Gabriel Assaad for the
11 Plaintiff.

12 MS. CAMPBELL: Tricia Campbell for the
13 Plaintiff, and Brett Emison, who is also on via
14 Zoom, for the Plaintiff.

15 MR. GORDON: Corey Gordon on behalf --

16 MR. FARRAR: Kyle Farrar --

17 MR. GORDON: Sorry, Counsel.

18 MR. FARRAR: -- for the Plaintiffs too on
19 Zoom.

20 MR. GORDON: Any other Plaintiffs?

21 MS. ZIMMERMAN: I don't think so.

22 MR. GORDON: Corey Gordon on behalf of
23 the Defendants and the witness.

24 MR. MCCAIG: Joshua McCaig for
25 Centerpoint Medical Center and Centerpoint

Page 253

1 Orthopaedics and Dr. Gregory Ballard in the
2 O'Haver case.

3 MR. DAVIDSON: Tim Davidson for St.
4 Luke's on the Tye case.

5 MR. BREER: Paul Breer here on behalf of
6 Dr. -- Defendant Dr. Frevert and Rockhill
7 Orthopedics, PC in the Tye case.

8 MR. GIVENS: Robert Givens on behalf of
9 Dr. Bible and Anesthesia Associates of Kansas
10 City on the O'Haver case.

11 MS. DAVIS: Lucy Davis on behalf of
12 Anesthesia Associates, Kansas City, on the
13 O'Haver case.

14 MR. GORDON: And that makes me realize I
15 should have clarified that I'm here on behalf of
16 Defendants 3M and Arizant.

17 THE COURT REPORTER: Okay. Sir, will you
18 please raise your right hand?

19 (Witness sworn.)

20 ALBERT VAN DUREN,
21 was called as a witness and sworn to testify in
22 the above-entitled matter.

23 EXAMINATION

24 BY MS. ZIMMERMAN:

25 Q Good morning, Mr. Van Duren.

1 A Good morning.

2 Q We've met a couple of times, including
3 yesterday.

4 A Yes.

5 Q I hope you had something good to eat and
6 a restful night?

7 A I did, thank you.

8 Q And it's unfortunately even colder today
9 here than it was yesterday, but at least the sun
10 is out so hopefully we're headed over this.

11 You had your deposition taken in January
12 of this year. Do you recall that?

13 A Yes.

14 Q And you had some questions posed to you
15 about sort of your habit and custom of gathering
16 and maintaining a library of various articles.
17 Do you recall that?

18 A I do.

19 Q And during that deposition counsel asked
20 if you could produce sort of copies of your
21 literature files; is that right?

22 A Yes.

23 Q And did you work with your attorneys then
24 to provide a complete copy of your medical
25 library?

Page 255

1 A Yes.

2 Q Do you know if there was sort of -- or
3 talk to me about the process for collecting those
4 documents.

5 A The process that I used to collect
6 documents or the process that I used to give them
7 to attorneys?

8 Q Well, let's start with the attorneys
9 part, and then I'm sort of interested in the
10 second part as well.

11 A The papers -- I read the papers, annotate
12 them, place them in folders in a physical,
13 vertical file library, and hanging folders. And
14 then I just made those available to 3M corporate,
15 legal -- paralegal and they make copies of them
16 and then give them back to me.

17 Q Okay. And I assume that they've done
18 that at least one other time during the course of
19 the --

20 A Done that many times.

21 Q All right. When have they done it
22 before?

23 A During the MDL.

24 Q All right. So only related to the Bair
25 Hugger litigation or anything else?

1 A Only related to the Bair Hugger
2 litigation.

3 Q All right. And do you recall if it was
4 once or more than once?

5 A It was more than once.

6 Q All right. So at least sometime after
7 January of 2022, sort of recently they came and
8 collected documents; is that fair?

9 A Yes.

10 Q And they did it at some point during the
11 MDL, and I assume that was prior to 2017, because
12 we had a deadline at the end of March of 2017?

13 A I think so.

14 Q Do you know if they collected documents
15 more than once, sort of prior to the end of March
16 of 2017?

17 A I don't remember exactly when those were
18 done.

19 Q Okay.

20 A I just know that there were two recent
21 ones to look at, the small amount of documents
22 that have been added since the last time that
23 those documents were made available to you.

24 Q Okay. And that was sort of my next
25 question. Was the recent collection, did they go

Page 257

1 through your entire library or did they just go
2 through sort of what would have -- what you would
3 have added since the last collection?

4 A Just the additional papers.

5 Q Okay. So seem fair to assume, and I know
6 you're not responsible for providing documents to
7 us, the Plaintiffs' lawyers, but probably if we
8 got a new set of documents, those are all
9 documents that you have collected since the time
10 that 3M collected before?

11 A Yes.

12 Q Okay. Because some of them are -- the
13 documents actually predate 2017, so I just
14 wondered if you were collecting them new or they
15 were just recently added to your collection?

16 A I'm sorry, I'm not sure exactly.

17 Q I think that question wasn't great.

18 So whatever the new production is, those
19 are all pieces of literature or memorandums or
20 notes that you took sometime since 2017?

21 A Yes. Yes.

22 Q So talk to me about the process that you
23 go through in your work at 3M in terms of
24 identifying literature. You're interested in
25 reading, obtaining it, reviewing it, just tell me

1 how that works for you.

2 A We have -- we have -- at 3M we have a
3 knowledge discovery and analytics group; and we
4 have another group that came as part of our KCI
5 acquisition, which is also a library group. I'm
6 not sure what the title is, but they're
7 scientific librarians. And we have a variety of
8 table of content searches, things like that. So
9 maybe twice or three times a week I'll get an
10 alert from these groups that there are a number
11 of articles that have been identified, via these
12 sort of passive searches. And then those papers
13 were made available either through some link or I
14 can download them from the library. So not every
15 one is interesting to me, but the ones that are
16 interesting I download and read.

17 Q Do you have essentially saved searches?

18 A No.

19 Q Or keywords, or how do you identify what
20 you might be interested in?

21 A I'm not sure precisely what search terms
22 they use to identify these articles, but my
23 current role is mostly aimed at advanced wound
24 care, not temperature management. So most of my
25 -- most of the documents that I get now are

Page 259

1 related in some way or another to negative
2 pressure wound therapy or advanced wound care
3 technologies, because that's what I work on now.

4 But occasionally I will get an article in
5 temperature management, or I'll look at table of
6 content searches. Those are very unstructured;
7 they're a list of table of contents of a journal.
8 That's it, and it can include anything.

9 Q And it sounded like some of the
10 librarians or some of the folks that helped
11 identify some of this research, they have some
12 sense of what your job is?

13 A Yes, they know exactly what my job is.
14 Yes.

15 Q And so is it sometimes they're making
16 suggestions on what they think you should be
17 interested in, or having -- sort of knowing what
18 your jobs are, they identify things that you've
19 asked for?

20 A Well, for a specific task -- so my
21 current role as a compliance specialist involves
22 doing state-of-the-art searches, as well as
23 clinical literature searches. And these are two
24 somewhat different activities that have very
25 specific search terms associated with them. So

1 when I do a specific search on a topic, I'll tell
2 them that I need to do a state-of-an-art search
3 on an advanced wound care topic, and they already
4 have much of that -- much of those search terms
5 are already stored.

6 And if I want to add, say, patents or
7 something like that, they can add that to the
8 search as well. And then they have a large
9 number of scientific and clinical databases that
10 they can search with exactly the same search
11 terms. And those -- those are stored, and we
12 keep those, especially for the work that I do
13 now, because that's for the EU. European Union
14 government agencies are very interested in
15 precisely how we searched for literature on
16 various topics that we're writing about.

17 Q The Bair Huggers is marketed in the EU as
18 well, right?

19 A Yes.

20 Q And so do the same EU requirements
21 regarding -- sort of following the literature,
22 apply or have they applied to Bair Hugger as
23 well?

24 A They do, but I don't work on it.

25 Q Anymore?

Page 261

1 A Anymore.

2 Q Did you work on it previously though?

3 A Not in terms of compliance production for
4 the EU.

5 Q Okay. But somebody did?

6 A Oh, yes.

7 Q And who was that?

8 A I don't know.

9 Q And is anybody doing it now?

10 A Oh, yes. Yeah.

11 Q Who's that?

12 A I don't know. I mean, it's a whole group
13 of people that work on these things. And people
14 will get assigned projects as they become -- as
15 the deadlines approach from the EU for clinical
16 evaluation reports. That's -- those are the
17 items that we write clinical evaluation reports,
18 clinical development plans, those sorts of
19 things.

20 Those are on repeating deadlines. So
21 every two years or every three years, depending
22 on the classification of the device, they have to
23 be updated. So if there is new literature,
24 safety concerns, anything like that, those get
25 put into these reports.

1 Q Is it reasonable to assume that these
2 clinical evaluation reports required by the EU
3 would have been prepared on the Bair Hugger?

4 A I'm sure there are some.

5 Q All right. And you said that they tend
6 to be required about every two to three years?

7 A Something like that. Again, depending on
8 the classification of the device.

9 Q All right. So it's your expectation
10 anyways, that certainly 3M would be maintaining
11 these clinical evaluation reports required by the
12 EU with respect to the Bair Hugger as well?

13 A Oh, yes.

14 Q Were you ever part of the team that
15 prepared those sort of reports or submissions?

16 A No.

17 Q Did you work with the team that was sort
18 of getting ready to prepare those sort of
19 reports? And I guess what I mean is, you've got
20 an extensive library and certainly, I mean, even
21 according to Dr. Issa, you're the most
22 knowledgeable person on patient warming at 3M.
23 So I wonder, is somebody coming to you and asking
24 about your literature and that sort of thing in
25 preparation for submission to the EU?

Page 263

1 A No.

2 Q That's never happened?

3 A Not for the temperature management part
4 of the business. But for the temperature
5 monitoring, I have assisted with that particular
6 one.

7 Q Okay. Do you have any idea who did
8 prepare those submissions?

9 A I do not.

10 Q All right. Did the practice or, I guess,
11 requirements for the EU change at any time, to
12 your knowledge, between when 3M acquired Arizant
13 in 2010 and today?

14 A They have recently changed. The
15 requirements used to be dictated by what they
16 call the medical devices directive. Now it's
17 called the medical devices regulation. It went
18 from MDD to MDR, so the requirements have changed
19 specifically around things like benefit-risk
20 analysis. And there are other things as well,
21 but I mean, the basic premise of these documents
22 is a description of the device's purpose; its
23 safety, its efficacy, you know, what other types
24 of products exist in the market that are similar
25 to these, that sort of thing.

1 Q And these reports, sort of whatever
2 acronym they were under, I know that the sort of
3 letters change, but they would have included a
4 benefit-risk analysis?

5 A That's a rather brand new requirement,
6 yes.

7 Q All right. And do you know if one has
8 been prepared with respect to the Bair Hugger?

9 A I don't know.

10 Q But you'd expect that that's a
11 requirement in the EU?

12 A Well, at some point it will be required,
13 yes.

14 Q If it hasn't been required yet?

15 A Yes.

16 Q Okay.

17 MR. GORDON: For what it's worth, I
18 actually know this. It has not. It is not due
19 until 2024 and one has not been prepared for the
20 Bair Hugger. I actually knew --

21 MS. ZIMMERMAN: Okay. I appreciate that,
22 Corey.

23 BY MS. ZIMMERMAN:

24 Q Did they have, to your knowledge, any
25 other sort of submissions, whether to the EU or

Page 265

1 other sort of regulatory bodies recognizing --
2 and I know that's not necessarily your role for
3 Bair Hugger, but has a risk-benefit analysis been
4 done in connection with the Blair Hugger for any
5 government entity, be it the U.S. or somewhere
6 else?

7 A I don't know.

8 Q Just one way or the other, you're just
9 not aware?

10 A Yeah, I'm just not aware.

11 Q When you were talking about sort of the
12 state-of-the-art search, is "state of the art,"
13 and I'm using that term in quotes, is that a term
14 of art as well?

15 A Yes.

16 Q And what does it mean?

17 A A description of the current
18 technological purpose and function of all of the
19 devices in the market that would compete in that
20 space.

21 Q So if you're doing a state-of-the-art,
22 you're trying to understand sort of all the
23 patient warming, or just convective therapy or
24 how might that look?

25 A It could depend. Probably would include

1 all of patient warming, all types of patient
2 warming, but it could be just specifically
3 limited to convective, forced-air convective
4 warming. Probably would include all though.

5 Q All right. And it sounds like perhaps
6 somewhere there's some search terms saved,
7 whether it's specific to you or sort of the
8 librarian folks have some sense of what you might
9 be looking for; is that fair?

10 A Well, in my case, it would be mostly for
11 advanced wound care products. Yes, those
12 searches are saved. I mean, we report the
13 specific search terms to these government
14 agencies -- well, notified bodies, and in turn
15 government agencies.

16 Q And so for you, your job change, I think
17 from your deposition you said June of 2019; is
18 that about right?

19 A Somewhere, yeah. About that.

20 Q And now it's more on advanced wound care,
21 but it also has included certainly, from time to
22 time, temperature management care?

23 A Yes.

24 Q And with respect to that, do you have
25 search terms saved with respect to temperature

Page 267

1 management?

2 A No.

3 Q Is there a reason why no searches like
4 that are saved for you?

5 A Well, that's not technically part of my
6 job any longer, so I don't run any fixed searches
7 or anything like that, but I mean, obviously I
8 still passively acquire clinical documents when I
9 run across them.

10 Q So that was going to be my next point. I
11 mean, obviously in the, I don't know, 550-odd
12 articles that we were provided after your
13 deposition, there's a great many of them that do
14 touch on patient warming issues or articles
15 related to patient warming. How is it that you
16 continue to monitor that literature?

17 A I'll look at table of contents. Most
18 journals will publish a table of contents, if
19 it's monthly or bimonthly, and I'll look to see
20 if there is an article that is of interest to me
21 and download it.

22 It's a very passive sort of process. I
23 mean, there's no active search that provides
24 papers to me related to temperature management.

25 Q Fair to say it's something that you

1 spent, you know, over 30 years on and so it's
2 just something that you're still interested in?

3 A Yes.

4 Q Is it something that 3M expects you to be
5 doing some ongoing monitoring on?

6 A No.

7 Q Despite sort of the role change, do you
8 still have folks come to you from time to time
9 with questions related to the Bair Hugger and
10 other patient temperature management issues?

11 A Yes.

12 Q Is it -- do you have -- I mean, you've
13 got librarians and sort of other folks, I assume
14 you have access to essentially any articles that
15 you might want or need in your role now in wound
16 care but certainly before in Bair Hugger?

17 A Yes.

18 Q Do you still sometimes rely from time to
19 time on experts and others to forward you
20 something they think you might find interesting?

21 A Well, I mean, I don't rely on, but people
22 will send articles to me, for sure.

23 Q Sure enough. Sometimes people send you
24 unsolicited reading materials?

25 A Almost always unsolicited.

Page 269

1 Q That's always helpful, right?

2 What -- and I apologize, I don't know the
3 answer to this. 3M has prepared what they call a
4 compendium with respect to temperature
5 management. Have you seen that before?

6 A Yes.

7 Q It's 150-odd pages or so; is that right?

8 A I think it's about that size, yes.

9 Q And have you been involved in drafting
10 that compendium in the past?

11 A I wasn't really involved in that. That
12 was a marketing effort that I think mainly was --
13 yeah, it was a marketing group that sort of put
14 that together.

15 Q Did they consult with any of the folks
16 that had experience in sort of the design and
17 engineering of the product, if you know?

18 A I don't know. I provided articles to
19 this group for their review, and maybe -- I think
20 I provided a list of citations to them, but I
21 wasn't involved in the preparation of the
22 compendium.

23 Q Did you provide any sort of memorandums
24 that would have summarized various articles for
25 their consideration?

1 A Well, they have access to my library. So
2 people -- people can electronically access my
3 library without my knowledge. You know, that's
4 possible.

5 Q Okay. But in any event, the compendium
6 itself was principally assembled or finalized by
7 the marketing group or a marketing group of some
8 kind?

9 A Yes.

10 Q Are you aware, are there any other
11 products that 3M has where there's a compendium
12 of literature related to the product?

13 A I don't know of any.

14 Q And obviously because 3M acquired Arizant
15 sometime after 2010, the compendium started after
16 that, or was that something that Arizant started
17 beforehand?

18 A Well, actually I think there was a
19 compendium, a small compendium that existed in
20 1994 that Dr. Augustine began, pretty much the
21 same thing, a listing of various papers related
22 to Bair Hugger and a short synopsis of what the
23 paper said.

24 Q And so when Dr. Augustine did it, that
25 was when he was with Augustine Medical?

Page 271

1 A Yes.

2 Q And so some of that was probably also
3 sort of a marketing-type piece, and my guess
4 would be the intention was to sell the product;
5 is that fair?

6 MR. GORDON: Object to the form of the
7 question.

8 A Well, I mean, of course the intention is
9 to sell product. But I think the intention of
10 the compendium was to give customers and --
11 mostly customers, who are already customers of
12 Bair Hugger, some background information in the
13 scientific and clinical aspects of its use.

14 Q Sort of help them understand when the
15 product should be used and for whom?

16 A Things like that, yes.

17 Q All right. And sort of provide some
18 scientific support for claims that otherwise
19 maybe might be viewed as just marketing?

20 A Yes.

21 Q And at least in your view, the compendium
22 has sort of continued to be that as it's grown
23 and currently exists at 3M?

24 A I'm sorry, you mean -- would you --

25 Q It's primarily a marketing piece; is that

1 fair?

2 A That's fair.

3 Q Do you think that it's reasonable to
4 expect marketing pieces to be fair and accurate?

5 A Yes.

6 Q Do you think that the compendium is fair
7 and accurate?

8 A Well, again, it is -- it's just a
9 compilation of articles and a description or a
10 synopsis or a summary of those articles. It's
11 not -- and I think the summaries are fair and
12 accurate, yes.

13 Q Do you think that the compendium reflects
14 an accurate picture of the articles available
15 with respect to Bair Hugger, both that are
16 potentially critical of Bair Hugger and those
17 that perhaps say that there is good evidence
18 supporting its clinical application?

19 A Yes. There was some legal input on how
20 we structured the articles that were included in
21 the compendium to include both positive and
22 negative papers.

23 Q So as far as you know sitting here today,
24 the compendium includes both positive and
25 negative articles?

Page 273

1 A Yes.

2 Q Do you know if the last version of the
3 compendium was drafted in around 2017, or is
4 there one more recent?

5 A I believe the last published one was
6 around 2017, that's correct.

7 Q And that's available on the Internet
8 pretty widely; is that fair?

9 A Yes, it's available at the 3M website.

10 Q Right. Probably also available at
11 fawfacts.com?

12 A Probably.

13 Q Are you involved or have you been
14 involved, by the way, in maintaining or providing
15 content for fawfacts.com?

16 A Not to my knowledge. But I mean, you
17 know my papers are, again, freely available to
18 all of the scientific affairs people, all of the
19 nursing specialists worldwide. So they can take
20 whatever I've written and use it for whatever
21 purpose they deem appropriate given legal
22 constraints and things like that.

23 Q Sure. Are there any other sort of tips
24 and tricks or fonts? You still like to use the
25 same font and maybe look if somebody has changed

1 it, it's not your work?

2 A Well, that's not the intention, but yes.

3 Q It's a nice hint though, right?

4 Let's see -- we don't have time today to
5 go through all the articles that you prepared or
6 produced from your library, but I do want to go
7 through some of them. But also in your
8 production there were a number of memos that sort
9 of summarize articles; is that something that you
10 do from time to time?

11 A Yes.

12 Q And then those are also available in your
13 library to anybody who would want to access that?

14 A Yes.

15 Q How do you decide which articles to write
16 a memo about?

17 A Some -- well, up until my current
18 position, I was asked to review certain articles
19 and provide a summary or a review of a particular
20 article. And so, you know, I was asked by my
21 supervisors to do that.

22 Q So at least some of the time, if there's
23 a memo, it's because somebody else has asked you
24 to review an article?

25 A Yes.

Page 275

1 Q Are some of the memos that you write
2 about articles something that you identified
3 yourself or just sort of took it upon yourself to
4 do?

5 A Occasionally.

6 Q When somebody was asking you -- providing
7 you an article and saying "please summarize
8 this," who was it?

9 A Well, it could be a number of people. I
10 mean, my supervisors at the time asked me to
11 review certain articles; but occasionally a
12 scientific affairs person or a nursing specialist
13 who has run across a paper, you know, where a
14 customer has asked a question about a particular
15 paper, they'll forward that paper to me for
16 review and I'll review it for them.

17 Q All right.

18 A It's not an extremely formal process.
19 It's just --

20 Q That's helpful.

21 A -- a service.

22 Q A favor from time to time?

23 A Well, more than a favor; but it's part of
24 my role to at least look at literature, or was,
25 and review it.

1 Q Then you said -- I know when your
2 deposition was taken in January and earlier this
3 morning, you said that you moved sort of to
4 advanced wound care. Why did you make that
5 transition?

6 A Well, I think I explained yesterday that
7 the temperature management business at 3M is a
8 very mature business. And the -- 3M just
9 purchased, acquired KCI; it's a brand new
10 business at 3M. And so a lot of attention and
11 support, of course, is being spent on the KCI
12 acquisition.

13 And so in terms of, you know, interesting
14 things to do, that's a -- that's a business
15 that's going to get more attention than
16 temperature management, which, again, is a very
17 mature business at 3M.

18 Q Blair Hugger sort of runs itself a little
19 bit at this time?

20 A Well, it's just that less research effort
21 will be spent on the temperature management
22 business than will be spent on advanced wound
23 care.

24 Q And why is that?

25 A Well, because the advanced wound care

Page 277

1 business is not a mature business. It's still --
2 products are being developed, research is being
3 conducted, you know, that sort of thing, compared
4 to a business where it's more of a share of --
5 more of a fight for market share for mature
6 technologies.

7 Q So I think I heard you say yesterday that
8 there is a new Bair Hugger warmer of some kind, a
9 cone-shaped machine; is that right?

10 A Yes, but that was developed a few years
11 ago. Yes.

12 Q And so everything with respect to sort of
13 developing and finalizing that product is already
14 taken care of?

15 A For the warming unit? Yes.

16 Q All right. And did you say that was like
17 a model 900?

18 A I don't remember what the number is. I
19 should have looked that up last night, but I'm
20 sorry, I don't know. If you need to know it, I
21 can find it.

22 Q That's no problem. You said, by the way,
23 it's a cone-shaped unit. Does the cone point
24 down?

25 A No, the shorter end is at the top.

1 Q Okay. Is that one also that is sort of
2 below the operating room table or is it on a
3 pole?

4 A It can be placed in either way.

5 Q All right. Sort of like the 775?

6 A Yes.

7 Q And has that actually been brought to
8 market yet?

9 A The --

10 Q The new version for the cone-shaped one?

11 A Yes. Yes.

12 Q And on that note, there are still 505s
13 still in service today?

14 A I think there are probably model 200s in
15 service.

16 Q Yeah, I got the model in the basement.
17 That one is not in service, I promise.

18 A I think that you would be able to pretty
19 much find any warming unit that Augustine Medical
20 made in service somewhere. I have been to vet
21 clinics that still have model 500s that they're
22 using.

23 Q Well, they're actually still serviced by
24 3M, the 505 still?

25 A Well, I mean -- yes, I think they will

Page 279

1 service them, but most of those are serviced by
2 biomedical engineers at various clinics.

3 Q Do you know, by the way, 3M tends to
4 actually still own the blower units or the heater
5 units themselves, right, and place them in
6 hospitals?

7 A In most cases in the United States.

8 Q All right. So most cases in the United
9 States 3M is still the owner of the actual
10 warming unit?

11 A Yes.

12 Q And so if it's a 505, that means you're
13 still manufacturing or supplying filters for
14 those products?

15 A I believe we're still supplying filters
16 for them.

17 Q And the customers would presumably
18 continue to obtain the filters through 3M, fair?

19 A Yes, I believe so.

20 Q They don't get the filters for a Bair
21 Hugger from somewhere else, right?

22 A They may be able to, but that's not the
23 intent. I mean, we manufacture and sell those.

24 Q Yeah. Filters are a big part of the
25 business at 3M too, right?

1 A I really don't know how big that business
2 is.

3 Q Sure. I think from somebody in Minnesota
4 you see the signs all the time "change your
5 filter in your house," and the like the HVAC and
6 heating systems in your house, that kind of
7 thing?

8 A Just to be clear, the filter business at
9 3M is in no way related to the medical business.
10 That's a completely separate business. Yeah.

11 Q The advanced wound care business, that's
12 sort of something that 3M has recently acquired
13 so you are maybe trying to onboard the folks that
14 are responsible for those products onto 3M?

15 A Well, I think it's -- I think part of the
16 purpose of this acquisition was to gain expertise
17 in various areas where 3M didn't have expertise.
18 So, you know, they've -- I think many of their
19 people have learned from us with respect to like
20 knowledge, discovery and analytics, for example,
21 those kinds of things, and so it's mutual.

22 Q How many people are in this -- is it KCI,
23 you said?

24 A KCI.

25 Q Yeah. How many people came over with

Page 281

1 that?

2 A You know, I don't know how large that
3 business was when it was acquired.

4 Q Fair to say that you have a prominent
5 role in that sort of acquisition now as well?

6 A I didn't understand that question.

7 Q You're playing sort of an important role
8 in following the acquisition of KCI at 3M?

9 A No, my role is administrative really. I
10 write reports for the European Union on
11 compliance of -- you know, technical compliance
12 of the products and the portfolio.

13 Q All right. On the -- moving sort back to
14 Bair Hugger, I think I read in the compendium and
15 elsewhere, that product is still used in what, 90
16 percent of hospitals in the United States,
17 something like that?

18 A I believe that that's correct.

19 Q And more than 50,000 times a day, fair
20 estimate?

21 A Yeah, I think that's correct.

22 Q Do you know, by the way, if the 750 is
23 also still in service in the field?

24 A Yes, it is.

25 Q And that's something that 3M continues to

1 service for its customers?

2 A Yes.

3 Q Same is true with the 775?

4 A Yes.

5 Q And then is this new product that's
6 apparently been finalized -- and I apologize, I
7 don't know the number either, has that actually
8 just started to roll out to customers now?

9 A It has been, yes.

10 Q Do you know when that first started?

11 A I don't know when it was first
12 commercialized, but certainly sometime after
13 2010.

14 Q Fair enough. Before the time that you
15 switched over to the advanced wound care?

16 A Yes.

17 Q All right. So sometime in the sort of
18 2010 to 2019 timeframe?

19 A Yes.

20 Q Turning back briefly to the compendium,
21 and I want to go through some of these articles.
22 You agree that it's reasonable to expect that
23 even a marketing document like the compendium be
24 fair and accurate; is that right?

25 A I mean, our code of conduct requires all

Page 283

1 of our communications to be fair and accurate.

2 Q And that would certainly be your
3 expectation with respect to marketing documents
4 as well, right?

5 A I would expect that.

6 Q And you'd expect that your customers are
7 going to rely on your representations, even from
8 the marketing department, to be fair and
9 accurate?

10 A I do.

11 Q And do they from time to time ask
12 questions from you with respect to various
13 articles? It sounds like they were accessing
14 some of, or at least could have access to some
15 of, the articles that you maintained and memos
16 that you prepare about those articles?

17 A So are you talking about for the
18 compendium?

19 Q Yes.

20 A I think that was all done pretty much
21 independently by the medical writers. I don't
22 recall having any input into the summarizing of
23 articles for the compendium.

24 Q Do you know what kind of training medical
25 writers have? Any specific medical --

1 A I think it varies.

2 Q Some of them may have actually scientific
3 or medical training?

4 A Yes, I know some do.

5 Q And some probably, like me, have a
6 political science major or something like that?

7 A I really don't know. But many of them do
8 have a scientific background. I know there are
9 at least two Ph.D.s in that group so...

10 Q And so as you'd expect consistent with
11 the 3M code of conduct that marketing documents
12 also be fair and accurate, part of that is
13 because safety of patients is paramount to 3M,
14 right?

15 A Well, I mean --

16 MR. GORDON: Object to the form of the
17 question.

18 A I'm sorry, the reason? Could you just
19 state it again?

20 Q Sure. One of the reasons that the code
21 of conduct at 3M requires even marketing
22 documents to be fair and accurate, is that you
23 know your customers are going to rely on the
24 representations that the company makes, right?

25 A Yes.

Page 285

1 Q And certainly 3M agrees that patients'
2 safety is very important, fair?

3 A Extremely important, yes.

4 Q Can you think of anything that is more to
5 3M than patient safety?

6 A Well, I know we had this discussion
7 before about patient safety being paramount, but
8 the fact is when a product is designed, what is
9 paramount is the benefit-to-risk ratio in a
10 medical product. We maximize the benefit and
11 minimize the risk. There are lots of medical
12 products, for example, that are extremely risky,
13 but they provide a benefit that you would not be
14 able to get without taking on that risk.

15 And so yes, of course, patient safety is
16 extremely important. We work very hard to
17 minimize every risk that we encounter to the
18 lowest possible amount that it can be reduced.

19 Q All right. And you certainly would agree
20 and have agreed in the past that if there's no
21 benefit to a product, then there's no reason to
22 use it, fair?

23 A Yes.

24 Q And in that risk-benefit analysis, you
25 have to do the weighing of risks and benefits,

1 and the benefit has to outweigh the risk, right?

2 A That's correct.

3 Q As 3M was preparing this sort of new
4 model for the Bair Hugger, the cone-shaped one,
5 did they do a risk-benefit analysis for that Bair
6 Hugger?

7 A So the product development process has an
8 entire risk mitigation -- risk identification and
9 mitigation process associated with it. So the
10 benefit of temperature management, of course, is
11 creation of and maintaining normothermia in
12 surgical patients. And so the risks by
13 themselves get evaluated sort of without --
14 without regard to any benefit. So again, we
15 already know the -- we already know there is
16 benefit. What we try to do is minimize the
17 risks.

18 Q When a product development team is
19 looking to come up with a new Bair Hugger like
20 this, is the benefit assumed or does the product
21 development team go back and look at whether or
22 not there is adequate evidence supporting a
23 benefit?

24 A Well, there is a clinical evaluation of
25 the benefit of the product or the condition

Page 287

1 that's going to be produced by the product. So
2 these product development teams are quite large.
3 They include engineers, clinicians, scientists,
4 regulatory people. You know, all sorts of people
5 that have different inputs on how to evaluate and
6 reduce risks associated with the use of the
7 product.

8 Q Fair to say as 3M is developing new
9 machines, new Bair Hugger or other new therapies,
10 that the product development team is going to
11 evaluate whether there is evidence of a benefit
12 and not assume a benefit?

13 A The -- so in the way that the product
14 development teams are structured, marketers
15 develop an understanding of what it is they want
16 to produce based on clinical evidence, for
17 example. You know, existing -- experience from
18 existing products, that sort of thing. All of
19 that is taken into account when the products are
20 developed.

21 Q And there is always -- people are
22 learning new things all the time, including in
23 medicine, and including in medical product
24 design, fair?

25 A Yes.

1 Q So, for example -- well, one of the
2 things in your library is there are articles
3 about the heater-cooler problems. Do you recall
4 those?

5 A Yes.

6 Q And so you would agree that those
7 studies, it's a series of studies, identified
8 medical equipment in the operating room can be a
9 source of nosocomial infection, right?

10 A Yes.

11 Q And prior to that research on the
12 heater-coolers, that had not been appreciated
13 with respect to those particular pieces of
14 medical equipment, fair?

15 MR. GORDON: Object to the form of the
16 question.

17 A Under those conditions, yes.

18 Q Right. You know, prior to the research
19 that initiated or originated -- I'm sorry, in
20 sort of Germany and Switzerland, probably anybody
21 in the operating room looked at that
22 heater-cooler device as sort of benign, right?

23 MR. GORDON: Object, lack of foundation.

24 Q With respect to a -- from an infection
25 perspective anyhow.

Page 289

1 A I don't know.

2 Q Okay.

3 A Again, yes, it's true that this
4 particular device was implicated and causing
5 infections, but I don't know how people viewed it
6 prior to the --

7 Q That's fair enough. Yeah, you don't know
8 what everybody is sort of thinking about the
9 device.

10 A Correct.

11 Q But there were a series of maybe 12 or 14
12 articles, scientific papers that were published
13 regarding the heater-cooler devices and you read
14 at least some of those, right?

15 A I have read some of them.

16 Q And you read some of the HCPCS meeting
17 notes from the CDC; is that fair?

18 A I did.

19 Q And so you know then that the folks that
20 were gathered together to sort of evaluate the
21 evidence about the heater-cooler devices, had not
22 appreciated that the heater-cooler may be a
23 source of nosocomial transmission of pathogens
24 prior to that research being conducted; is that
25 right?

1 A At least some of them on the committee.

2 Q Okay. And you know that there were at
3 least two different problems identified in the
4 heater-cooler? One of the manufacturers actually
5 had a point source contamination. So where they
6 manufactured the facilities, germs got on the
7 machine before they were shipped out. You know
8 that?

9 A I wasn't aware of that.

10 Q And do you know that one of the other, I
11 guess, discoveries in that research was that the
12 water tray inside the heater-cooler is
13 manufactured by multiple, different brands, could
14 host and grow bacteria, specifically mycobacteria
15 chimaera?

16 A Yes, I did know that.

17 Q And then what they discovered in that
18 research was that those water trays, there was a
19 fan in the machine as well and essentially the
20 fan blew past the water tray and that bacteria
21 became aerosolized, right?

22 A Yes.

23 Q And the bacteria in the heater-cooler
24 device, anyhow, because of the fan, because of
25 the blowing air, traveled through the air, right?

Page 291

1 A Yes.

2 Q And those researchers, anyways,
3 discovered and determined down to a DNA level
4 that the bacteria from those heater-cooler
5 devices were traveling from the machine and into
6 a patient's open wound during heart surgery,
7 right?

8 A Yes.

9 Q And as a result of that, various
10 researchers and ultimately a panel of folks at
11 the CDC made a recommendation that nothing that
12 blows air should be in an operating room if
13 possible. Do you recall reading that?

14 MR. GORDON: Object to the form of the
15 question.

16 A I do remember reading that.

17 Q And certainly as somebody who has been
18 involved with a medical device that does blow air
19 in an operating room, that was something that you
20 paid attention to as you were reading the
21 articles, fair?

22 MR. GORDON: Object to the form of the
23 question.

24 A I read the article. I did pay attention,
25 yes.

1 Q Did you think it was relevant?

2 A Well, again, this is an advisory
3 committee, and these are sort of unstructured
4 comments from a group of people sitting around a
5 table making comments. People can say things at
6 times that, taken out of context, may not seem
7 correct. And I think that in the case of this
8 advisory committee, the idea that anything that
9 blows air shouldn't be in an operating room is
10 incorrect. There are many, many things that blow
11 air in an operating room. I mean, most of them
12 have no filters at all, like this device that
13 you're talking about, the heater-cooler unit.

14 Q So -- and I apologize, that wasn't quite
15 my question. When you're reading about the HCPCS
16 CDC minutes, did it occur to you that the
17 findings in the heater-cooler context might be
18 applicable to the Bair Hugger context?

19 A No.

20 Q Did you talk about it with anybody at 3M?

21 A I had discussions with people at 3M about
22 it.

23 Q And did they agree with you that it
24 wasn't applicable at all to the Bair Hugger?

25 A Yes.

Page 293

1 Q And what was the basis for your
2 determination there?

3 A Well, that water was involved and no
4 filtering.

5 Q And you would agree that the Bair Hugger
6 itself, bacteria can be cultured inside the
7 machine, fair?

8 A Bacteria can be cultured from the inside,
9 yes.

10 Q And has been in many published studies,
11 fair?

12 A Yes.

13 Q The bacteria can also be cultured from
14 the inside of the hose, fair?

15 A Yes, it's not sterile.

16 Q Absolutely. And both the inside of the
17 blower unit itself and the hose are past the
18 point of the filter in the Bair Hugger, right?

19 A Yes.

20 Q So the filter has already happened and so
21 if there is something on the inside of either the
22 blower or the hose, that's bacteria that got past
23 the filter, fair?

24 A Could be, yes.

25 Q And you've seen internal testing

1 documents that show that that bacteria then will
2 continue to grow on the inside of the machine,
3 right?

4 MR. GORDON: Object to the form of the
5 question.

6 A I don't believe that I've seen any
7 documents that bacteria continue to grow on the
8 inside of the machine.

9 Q Do you recall any of the testing that
10 done with, I think it's Ion-Armor looking at the
11 inside of the hose in particular?

12 A No.

13 Q Do you recall testing where 3M was
14 considering essentially a microbial lining or
15 spray on the inside of the hose to prevent the
16 growth of bacteria?

17 A I don't recall that.

18 Q Fair.

19 A I wasn't involved in those, yeah.

20 Q All right. But sort of like what they
21 learned in the heater-cooler where bacteria
22 landed in and grew in the water tray, 3M knows
23 that there's bacteria inside the heater and the
24 hose of the bacteria -- pardon me, of the blower
25 unit, fair?

Page 295

1 MR. GORDON: Object to the form of the
2 question.

3 A Well, I think you're talking about two
4 different things here.

5 Q Absolutely.

6 A So the mycobacterium that grew in the
7 heater-cooler unit actually grew -- I mean, these
8 are big colonies of mycobacterium that were blown
9 out of an unfiltered airstream in a relatively
10 dirty piece of equipment. That's not the same
11 thing as a Bair Hugger, where there is really --
12 there's no substrate in the Bair Hugger for
13 bacteria or even mycobacteria to grow.

14 Yes, it's not sterile. You know, I mean,
15 we've labeled it not sterile from the beginning.

16 Q So let me stop you there. What I'm
17 trying to get to, anyways, is that with the
18 heater-cooler we know that from a series of
19 studies, one of which followed the next, so they
20 would identify a question and then they go to try
21 to answer the question that was identified in the
22 study beforehand, right?

23 A Yes. It's been a while since I reviewed
24 that literature, but yes.

25 Q Right. And we know ultimately that very

1 -- mycobacterium chimaera is a very hearty
2 bacteria, right?

3 A Yes.

4 Q Slow growing, for example?

5 A All the mycobacterium are very hearty.

6 Q And perhaps you recall from reading those
7 papers that one -- that the researchers who
8 originally identified this piece of medical
9 equipment as potentially problematic in an
10 operating room, the reason they figured it out
11 was that -- normally a mycobacterium is like a
12 tuberculosis, right? You have an infection in
13 your lungs?

14 A Well, tuberculosis is a mycobacterium,
15 yes.

16 Q And do you know if the infection would
17 normally present itself in your lungs -- in a
18 patient's lungs?

19 A Most times, yes.

20 Q Hopefully not yours.

21 A In the United States.

22 Q Yes. But with the heater-cooler
23 patients, you may recall the infection itself
24 presented in the patient's heart tissues. Do you
25 recall that?

Page 297

1 A Around them, yes.

2 Q And the reason that the researchers in
3 the heater-cooler found that peculiar and
4 required additional research is that you wouldn't
5 normally expect to find mycobacterium chimaera
6 infections in the tissues surrounding the heart,
7 fair?

8 A Correct.

9 Q And what happened was there were, I
10 think, two patients that had the similar
11 presentation of this very strange, rare bacteria
12 in a very rare infection in the heart, and the
13 thing that they had in common was that they both
14 had open heart surgery in the same operating room
15 a few months before, right?

16 A Yes. I think it was a cluster of unusual
17 cases that initially drew the investigators'
18 attention to the problem.

19 Q So what happened with those investigators
20 is, they said, Jeez, the only thing that is in
21 common with the patient with the very strange bug
22 and this very strange infection is that they were
23 in the same operating room. The bug must be
24 coming from inside the operating room, right?

25 A Yes, I think that was the logical

1 conclusion.

2 Q And so they pursued that, right?

3 A Yes.

4 Q And they went to follow up and ultimately
5 identified it did come from the heater-cooler
6 device that was in the operating room at the time
7 of these heart surgeries, fair?

8 A Yes.

9 Q And so one of the important takeaways, if
10 you follow the series of those articles and then
11 ultimately the HCPCS meeting from the CDC, was
12 really the mechanism of aerosolization of
13 bacteria in an operating room potentially
14 causing -- well, actually causing infection in
15 patients, right?

16 A Yes.

17 Q And that's what HCPCS from the CDC was
18 talking about when they met in 2015. Do you
19 recall that?

20 A Yes.

21 Q And actually, 3M had representatives
22 present at those meetings, right?

23 A They did.

24 Q And those aren't meetings of just sort
25 of, you know, poly-sci majors like me. Those are

Page 299

1 experts in their field, fair?

2 A Well, the clinicians, yes.

3 Q And representatives from the industry
4 that are sort of trained to study and investigate
5 these issues; is that right?

6 A Yes.

7 Q And that's sort of what HCPCS did for the
8 CDC; is that right?

9 A I believe so.

10 Q And they identified this medical
11 equipment in the operating room caused
12 aerosolization of bacteria and infection in
13 patients, right?

14 A Yes.

15 Q And turning to the Bair Hugger, we know
16 that the blower unit itself is sold as not
17 sterile, right?

18 A Correct.

19 Q There are series of peer reviewed
20 published articles identifying that swabs are
21 taken of the inside of the machine and the inside
22 of the hose, and that bacteria is cultured from
23 the inside of those machines, right?

24 A Yes.

25 Q There is no distal-end filter at the end

1 of the hose, right?

2 A Well, other than the blanket.

3 Q The blanket isn't considered a filter by
4 the company, correct?

5 A Correct.

6 Q And at no point has the company claimed
7 that the blanket acted as a filter, fair?

8 A No, but there's a number of clinical
9 papers that demonstrate that when the blanket is
10 attached to the end of the hose, that the air
11 beneath it is sterile or you cannot culture
12 bacteria beneath the blanket.

13 Q Are you talking about Hall and Zink?

14 A No, I'm talking about Michael Avadon,
15 Mike Reed and his group did some work where they
16 tried to culture bacteria beneath a blanket, and
17 were unable to do that.

18 Q And so it's your position, as you sit
19 here today, that the blanket is a filter for the
20 Bair Hugger?

21 A Well, it can act as one.

22 Q It may --

23 A We don't make that claim.

24 Q Sure.

25 A But I'm just saying that the blanket

Page 301

1 plays a role in decelerating the airflow. It has
2 electrostatic properties. It changes the
3 momentum, you know, of the air or any particles
4 that happen to be in it. So there are many
5 things that the blanket does to the airflow that
6 apparently, at least in these studies that I'm
7 referring to, makes it unlikely that you'll be
8 able to culture viable organisms.

9 Q So the fact that the bacteria that --
10 first off, there is bacteria in the machine and
11 in the hose, and it will be expelled from the
12 machine and the hose as air is blowing out of it;
13 is that fair?

14 A Well, I don't know. The bacteria may be
15 adhered to the service. Yes, it's certainly
16 possible to take a swab and remove bacteria --
17 remove bacteria and then culture it. But I don't
18 know that necessarily, that the bacteria are able
19 to blow off of the surfaces of the warming unit.

20 Q And after 30 years working in the
21 product, you still don't have an answer to that
22 question?

23 A Well, we have lots of clinical evidence
24 that suggests that the device doesn't cause an
25 increase -- or increased risk of infections.

1 Q Fair to say that 3M has never done a test
2 internally to see if bacteria comes out of the
3 Bair Hugger machine?

4 A Not to my knowledge.

5 Q And that's despite the fact that there
6 are these ongoing concerns that bacteria --
7 culture from the machine may be putting the
8 patients in the OR at an increased risk of
9 infection, right?

10 A Well, and also there's competing clinical
11 evidence that it doesn't increase risk of
12 infection.

13 Q But the question, I guess, respectfully,
14 Mr. Van Duren, is that 3M has not done any
15 bacteriology studies itself to study whether or
16 not the bacteria we know that is in the machine
17 comes out of the machine?

18 MR. GORDON: I'll object on foundation
19 grounds.

20 Counsel, I understand it's kind of hard
21 to make the switch after several hours yesterday
22 of 30(b)(6) deposition, but now he's here today
23 in his individual capacity to talk about his
24 library; and we've gone almost an hour and I
25 don't think you really talked about any specific

Page 303

1 things from his library.

2 I'm letting -- go ahead and continue to
3 ask these questions, but I just, you know, this
4 really feels like it's a continuation of
5 yesterday.

6 Q Well, Mr. Gordon makes an accurate point
7 that you are here in your personal capacity
8 today; and I don't mean as I'm saying "you"
9 today, I should have gone through the
10 instructions again.

11 A No, I understand.

12 Q Thank you, Mr. Van Duren. I'm not trying
13 to trick you.

14 A I know.

15 Q But as you sit here today, as someone who
16 worked on this for 30 years and who maintains a
17 large library, both sort of electronically and
18 certainly in your head walking around every day,
19 you don't know about any study that 3M did to
20 show there is no bacteria that comes out of that
21 machine in an operating room, right?

22 A Again, I'm not aware of any.

23 Q And there's no claims that you or 3M make
24 that the filter -- pardon me, that the blanket
25 acts as a filter?

1 A No, we don't make that claim.

2 Q And that would be an inappropriate claim
3 to make?

4 A Well, we don't have FDA approval to make
5 that claim, for one thing.

6 Q Recognizing that we also sort of have
7 some limited time here this morning and your
8 library is significant, you'd agree that, at
9 least what was produced sort of recently, a lot
10 of it has to do with sort of wound care articles?

11 A Yes.

12 Q Makes some sense because your job has
13 changed. Lots of articles about preventing and
14 treating infection in central lines?

15 A Yes.

16 Q That's something that I think you spent,
17 I would gather having reviewed it, spent a lot of
18 your time on right now?

19 A I did, yes.

20 Q Are you not doing that anymore?

21 A No, I'm actually working on negative
22 wound care pressure therapy right now.

23 Q How long were you working on sort of the
24 PKC line?

25 A About a year.

Page 305

1 Q And you're sort of out of that area now?

2 A Yes.

3 Q Has that been a welcome change or fun to
4 learn something new?

5 A I prefer advanced wound care.

6 Q All right. But each of these lines,
7 whether it was Bair Hugger or sort of the central
8 line infection prevention and now wound care,
9 you've certainly been involved with or monitoring
10 issues related to infection for 30-plus years at
11 this point, right?

12 A Well, it wasn't my primary interest or
13 responsibility, but I have, yes.

14 Q And that -- it's fair to say that there
15 continues to be a great deal of research,
16 frankly, in all three areas, whether you're
17 talking about Bair Hugger and patient warming and
18 the risk of infection, preventing infection in
19 folks that have a central line placement, and
20 sort of how to treat infected wounds. That's
21 something -- ongoing research in all areas, fair?

22 A Yes.

23 Q And so the, you know, the production that
24 we were provided, some 500 articles since your
25 last production, reflects that there are still

1 areas worthy of research in each of those areas;
2 is that fair?

3 A Well, I suspect the largest percentage
4 had to do with negative pressure wound therapy
5 given that is where my -- the activities my
6 current role take me. I mean, I think the lion's
7 share of these papers are going to be negative
8 pressure wound therapy, if I'm not mistaken. But
9 yes, infection is a big part of that.

10 Q Would you agree that there is no valid,
11 current scientific evidence supporting the notion
12 that normothermia will prevent surgical site
13 infection?

14 A No, I don't agree.

15 Q Have you -- you've been presented before
16 the excerpts of the depositions of both
17 Dr. Sessler and Dr. Kurz? Maybe?

18 A Maybe.

19 Q Sure. It's been a minute.

20 A How long ago was that?

21 Q Well, I think your last deposition was
22 also five years ago and the MDL was in March of
23 2017?

24 A I may have seen portions of depositions
25 by those two individuals, but I don't really

Page 307

1 recall for sure.

2 Q So you don't recall if they said that
3 sort of in modern standards there's no basis to
4 claim that normothermia will prevent surgical
5 site infection?

6 MR. GORDON: Object to the form of the
7 question, mischaracterizes and misstates the
8 testimony and assumes facts not in evidence.

9 A So I don't think we've ever said that
10 normothermia prevents surgical site infections.
11 What we have -- I believe what we claim is that
12 the existence of normothermia reduces the risk of
13 developing a surgical site infection.

14 I mean, we -- people still get surgical
15 site infections. They take antibiotics, they
16 will do everything right and still get a surgical
17 site infection. So all of the -- all of the
18 treatments that are spent on keeping patients
19 well don't always prevent them from getting a
20 surgical site infection, including normothermia.

21 Q Certainly. But sort of like seatbelts
22 have prevented both a number of injuries and
23 deaths in traffic accidents over the last 30
24 years, in medical device design there are
25 improvements all the time, right?

1 MR. GORDON: Object to the form of the
2 question.

3 A Well, medical device has improved over
4 time yes, generally.

5 Q And even if you can't prevent every sort
6 of traffic fatality by using seatbelts, it
7 prevents some and that's a laudable goal, right?

8 A Yes.

9 Q And that's why we hopefully wear our
10 seatbelts when we drive, fair?

11 A That and it's a law.

12 Q Well, that helps too.

13 And so with respect to preventing
14 surgical site infection, you think that it would
15 be reasonable, even if it can't be completely
16 eliminated, that we should reduce surgical site
17 infection to the extent that we're able, fair?

18 A Yes.

19 Q And that's certainly a goal of 3M?

20 A Yes.

21 Q And part of achieving that sort of goal
22 is to stay up-to-date on the literature
23 preventing normothermia and potential risks
24 associated with using the Bair Hugger, right?

25 A I don't know that my keeping up with the

Page 309

1 literature is going to have an affect on
2 patients' risk of surgical site infection, but
3 it's part of my job to keep up with the
4 scientific literature, yes.

5 Q So -- and again, because it's in your
6 library, I'm going to get back to the
7 heater-cooler example. What did 3M do, if
8 anything, to assure the company that the
9 mechanism of risk to patients presented by the
10 heater-cooler was not likewise presented by the
11 Bair Hugger?

12 MR. GORDON: I'll object on foundation
13 grounds.

14 Q That you know about, I apologize.

15 A Well, that I know about? I don't know
16 what was done. There were certainly discussions
17 about the differences that were apparent in the
18 heater-cooler unit and Bair Hugger. There are
19 very large differences that did not make these
20 comparable.

21 Q But as you sit here today, in terms of
22 any kind of testing that 3M may have done to
23 assure the company and its customers and patients
24 it serves, you're unaware of any testing that was
25 done in response to the heater-cooler problem?

1 A Not in response to the heater-cooler
2 problem.

3 Q Do you know if -- is the heater-cooler
4 part of the compendium at all, any of those
5 articles?

6 A I don't know. Only if a Bair Hugger was
7 involved in some way would they be included in
8 the compendium. The compendium is not a
9 state-of-the-art analysis, it's a Bair Hugger
10 analysis or Bair Hugger compendium.

11 Q All right. Do you remember Dr. Parvizi?

12 A Yes.

13 Q Do you know him personally?

14 A Yes.

15 Q Are you aware of the article that he had
16 published as sort of a Letter to the Editor
17 shortly after the beginning of COVID?

18 A Well, you'll have to tell me what the
19 subject was.

20 Q I apologize, he publishes fairly a lot?

21 A Well, I read a lot so...

22 Q Sure. Is he also the head of the
23 International Consensus for prevention of
24 periprosthetic infection?

25 A I believe so.

Page 311

1 Q And sometimes we call that ICOS?

2 A Umm-hmm.

3 Q And he's, I think, on the board or was on
4 the board of 3M infection prevention division as
5 well, right?

6 A On the speaker's bureau?

7 Q Maybe that's right. Is he a key opinion
8 leader for 3M?

9 A He was. I don't know if he is currently.

10 Q All right. Do you know if he has raised
11 concerns in the last couple of years in published
12 papers about the risk forced-air warming units
13 pose with respect to nosocomial transmission of
14 pathogens; so bacteria to patients in the
15 operating room?

16 A I don't recall reading any of those
17 papers, if they exist.

18 Q Why don't we take a quick break and I'll
19 get it for you.

20 A Sure.

21 THE VIDEOGRAPHER: Off the record.

22 (Whereupon, a break was taken from 10:43 a.m.
23 until 10:56 a.m., after which, the following
24 transpired.)

25 THE VIDEOGRAPHER: We're on the record.

1 BY MS. ZIMMERMAN:

2 Q Thank you, Mr. Van Duren. Are you ready
3 to get started again?

4 A Yes.

5 Q And I understand we have gone a little
6 more than an hour, so not too much longer to go.
7 Obviously we could talk about these issues for a
8 long time.

9 But before the break we were talking
10 about a publication by Dr. Parvizi. And you know
11 Dr. Parvizi, and he's been sort of a consultant
12 for 3M from time to time over the years?

13 A Yes.

14 Q And do you know whether he may have been
15 an author in the study of arthroplasty that
16 recommended forced-air warming systems be used
17 with caution as they may increase the
18 distribution of aerosolized particles during a
19 surgery?

20 A He may have written that. Again, I don't
21 recall reading that exact phrase.

22 Q Okay. And I am going to hand you -- I
23 highlighted it to make it a little easier for
24 him. This is Exhibit 32.

25

Page 313

1 (Whereupon, Exhibit 32 was marked for
2 identification.)

3 BY MS. ZIMMERMAN:

4 Q Mr. Van Duren, do you know if you have
5 this paper in your clinical library?

6 A I don't know for a fact. I can tell you
7 for a fact that this did not come from my library
8 because it doesn't have a library tag on it.

9 Q That's correct. And you see the last
10 listed article there is Javad Parvizi? And I'm
11 not sure if I pronounced his first name right.

12 A Yes, I see that.

13 Q And it was published in the Journal of
14 Arthroplasty in 2020?

15 A Yes.

16 Q I tried to highlight that. It looks like
17 it's available online on April 22nd of 2020?

18 A Yes, I saw that.

19 Q And they are talking -- of course, that
20 was about two months into the COVID epidemic; is
21 that right?

22 A Yeah, in 2020? Around that.

23 Q Yeah. And so they -- if you turn to the
24 sort of third page of the article, they talk
25 about the prevention of spread in the air?

1 A Yes.

2 Q And at the bottom there's a table one
3 saying: "Common steps for the surgical procedure
4 and recommendations for decreasing the potential
5 viral load for each step," right?

6 A Yes.

7 Q Do you see that?

8 A Mmm-hmm.

9 Q And to be clear, viruses and bacteria are
10 two different types of pathogens, right?

11 A Yes.

12 Q But both viruses and bacteria can ride on
13 particles, correct?

14 A Yes.

15 Q And you can see in table one that there
16 is a surgical step listed for forced-air warming?
17 I tried to highlight it just to facilitate your
18 review, but you're welcome to look at it if you'd
19 like.

20 A I see it.

21 Q And you can see that Dr. Parvizi and
22 other authors there, their suggested action with
23 respect to forced-air warming systems is: "These
24 devices should be used with caution, as they may
25 increase the distribution of aerosolized

Page 315

1 particles during the case. Blankets may be more
2 effective at decreasing particulate generation
3 and distribution." Did I read that right?

4 A Yes.

5 Q And do you know, and by you I mean
6 Mr. Van Duren, do you know if 3M took any action
7 with respect to these comments published by
8 Dr. Parvizi and others with respect to the
9 aerosolization of and airborne transmission of
10 pathogens?

11 A I don't know. I doubt it. This is a
12 speculation on Dr. -- well, on these authors'
13 part. It doesn't say that "it does," it says "it
14 may" increase.

15 Q All right. But that is certainly
16 identification of a potential risk of airborne
17 transmission of pathogens, right?

18 A Well, in the context of -- I think this
19 is a paper having to do with COVID-19 virus
20 particle transfer, right?

21 Q Right.

22 A I haven't read this paper. I mean, if
23 you want me to read the paper, I could read it
24 and understand it completely. But just looking
25 at the table, again, this is just an appeal to

1 logic. This isn't a research finding or anything
2 like that. This is just his opinion.

3 Q Okay. Well, it certainly got -- it's six
4 different authors at the top of this
5 peer-reviewed paper?

6 A Yes.

7 Q And you'd agree that the Journal of
8 Arthroplasty is a peer-reviewed paper and well
9 regarded by folks in the field?

10 A Yes, the Journal of Arthroplasty is a good
11 journal.

12 Q And you, and certainly 3M has, from time
13 to time hired and consulted with Dr. Parvizi and
14 considers him an expert on a number of different
15 things, fair?

16 A We have.

17 Q And I think you just characterized this
18 as an appeal to logic? You agree that this is,
19 in fact, an appeal to logic?

20 A I'm just saying that the statement that
21 you highlighted here is an appeal to logic.

22 Q Right.

23 A It's not based on results of a study that
24 was conducted.

25 Q Okay. Do you recall a paper in your

Page 317

1 library from a Dr. Uggen?

2 A I don't remember the name. If you can
3 show me the paper, I can review it.

4 (Whereupon, Exhibit 33 was marked for
5 identification.)

6 BY MS. ZIMMERMAN:

7 Q And I will represent to you that the sort
8 of square highlighting, that's mine, it's not
9 yours. It's not inadvertent to hand it to you.
10 I just thought I would try to speed things up.

11 This is a paper that you have in your
12 library as well?

13 A It looks like it. It's got a number on
14 it that would seem like it would be about right
15 for a paper of this year.

16 Q And so that would be -- it looks like
17 it's dated 2019, and it is in the arthroscopy,
18 the Journal of Arthroscopic and Related Surgery.
19 Do you see that?

20 A Yes.

21 Q It looks actually like February of 2020.
22 And so that was an article that you had in your
23 library?

24 A I'm pretty sure this is in my library,
25 yes.

1 Q And Dr. Christopher Uggen -- I apologize
2 to him, whoever he is, if I mispronounce that --
3 he's a doctor and on the editorial board of this
4 journal. Is that what it seems from the
5 beginning?

6 A Yes.

7 Q And he notes in the first page of the
8 article in the section that I highlighted, that
9 -- and he's talking about active warming devices.
10 It says, "Including forced-air warmers and
11 resistive heating devices. Although known to
12 improve the ability to maintain the ability to
13 maintain normothermia, do not eliminate the
14 instance of hypothermia."

15 And the rest of the highlighted part
16 says, "also the use of these devices carry some
17 risk to patients, including burns and pressure
18 sores. More importantly, several articles have
19 raised concerns surrounding possible increased
20 risk of deep surgical site infection of
21 forced-air warming devices" and puts in "(Bair
22 Hugger)." Did I read that correctly?

23 A Yes.

24 Q It says, "Some studies suggest these
25 devices can create convection currents,

Page 319

1 disrupting laminar flow and mobilizing floor air
2 into the surgical site." Did I read that
3 sentence correctly as well?

4 A That's correct.

5 Q And then he says, "Other studies have
6 shown that potentially pathogenic organisms grow
7 in the hoses and filters of the forced-air
8 warming devices." Did I read that correctly as
9 well?

10 A Yes.

11 Q So Dr. Uggen is identifying in this paper
12 that there are at least other papers that raise
13 these particular concerns, both with respect to
14 airflow disruption caused by the Bair Hugger and
15 pathogenic organisms growing inside of the
16 machines; is that right?

17 A That's correct.

18 Q And he sort of talks about whether or not
19 -- on the next page, whether or not warming is
20 necessarily indicated, correct?

21 A I haven't read it. May I read it?

22 Q You may.

23 A Okay.

24 Q And Dr. Uggen is sort of -- obviously
25 this is a short editorial commentary, but he

1 raises sort of at a high level the potential
2 concerns some articles have identified with
3 respect to both an airflow disruption danger to
4 patients associated with Bair Hugger, and also
5 with respect to the pathogenic organisms that
6 grow inside the machine itself, right?

7 A He has cited that, yes.

8 Q And would you agree that Dr. Uggen, in
9 this particular editorial piece, says that the
10 possibility of -- "concern regarding possible
11 increased risk of surgical site contamination
12 with forced-air warmers warrants further study."

13 It's right at the top and, in fact, it's
14 sort of in the middle of the abstract. He says
15 it also in the second to last sentence of the
16 editorial commentary.

17 A Yes, I see that.

18 Q And so Dr. Uggen, anyways, identifies
19 that the concern regarding potential increased
20 risk of surgical site contamination with
21 forced-air warmers warrants further study, right?

22 A He does say that.

23 Q And that "warrants further study" was
24 sort of a refrain that you have seen raised by a
25 number of different articles, particularly over

Page 321

1 the past five years; is that right?

2 MR. GORDON: Object to the form of the
3 question.

4 A Virtually every clinical trial ends with
5 the same phrase, "warrants further study,"
6 regardless of the outcome.

7 This is an opinion from Dr. Uggen. You
8 know, and he also states in the last paragraph
9 that it's unlikely that in -- and he's talking
10 about arthroscopic surgery here that the risk is
11 very significant. He says something like, in
12 orthopedic surgery with arthroscopic that it's
13 unlikely to be a significant factor; but anyway,
14 this is an opinion.

15 Q Is one of the reasons that he thinks that
16 it may be particularly or potentially irrelevant
17 in arthroscopic procedures the fact that most of
18 those surgeries lasts only 30 minutes and
19 therefore the patients aren't warmed?

20 MR. GORDON: I'll object, lack of
21 foundation.

22 A Well, actually I think what he's
23 suggesting since this surgery only last 30
24 minutes, they don't need to be warmed at all.

25 Q Correct.

1 A That's what he's suggesting. That's not
2 true, but that's what he's suggesting.

3 Q So at any rate, if Dr. Uggen is assuming
4 that for those surgeries lasting less than 60
5 minutes, no warming is required and, therefore,
6 arthroscopic surgery patients would not be
7 exposed to the potential risks that he identifies
8 in this editorial piece, potentially associated
9 with Bair Hugger. That is sort of the
10 recommendation that he's making, right? That
11 maybe this isn't relevant to arthroscopic surgery
12 patients because you doctors aren't even really
13 using warmers because the surgeries are short; is
14 that right?

15 MR. GORDON: Objection, lack of
16 foundation.

17 A Well, I don't know the basis of his
18 opinion, but my guess is that the risk of
19 surgical site infection from an arthroscopic
20 procedure is very, very low; primarily because
21 the puncture site is very small and it's easily
22 controlled, it doesn't normally get infected.

23 So, you know, for all of the reasons that
24 arthroscopic surgery is --

25 Q Lower risk?

Page 323

1 A -- is a lower risk surgery. Those
2 patients do better with respect to surgical site
3 infection.

4 Q But some of those reasons also include,
5 one, that there is not typically an implant in an
6 arthroscopic surgery procedure, right?

7 A Typically not.

8 Q And that means that those patients are
9 not at the sort of increased risk that the total
10 joint or a total knee or total hip would be at,
11 fair?

12 A Well, again, total knee and total hip
13 surgeries are extremely low-risk surgeries for
14 surgical site infection, as are arthroscopic
15 surgeries. They're all very low risk.

16 Q But you would agree that any implant
17 surgery is at a higher risk than a non-implant
18 surgery given the potential for biofilm?

19 A No, I don't think I would agree. I think
20 -- do you mean the incidents of surgical site
21 infection is higher?

22 Q No, and I apologize if I'm not asking a
23 good question. I apologize.

24 When an implant is involved in a surgery,
25 that patient is at higher risk than a patient

1 that is having a surgery without an implant?

2 A No, I don't think so. I think implant
3 surgery is an exceedingly low risk surgery.

4 Q Lower risk. It doesn't happen often, but
5 because the body has a more difficult time
6 fighting off infection when an implant is
7 involved, and because a lower dose of bacteria is
8 required to create a deep joint infection when an
9 implant is involved, those patients are at higher
10 risk, fair?

11 A Well, I think you're conflating the
12 severity of the risk with the actual incidents.
13 So the incidents, the likelihood of an adverse
14 consequence is low in implant surgery, very low,
15 it's among the lowest that exist.

16 Yes, it's true if patients with an
17 implant get a deep joint infection, that's a
18 devastating, terrible, adverse consequence; but
19 the incidents of those failures is exceedingly
20 low in the United States.

21 Q And I apologize, again, I'm sorry, maybe
22 I'm asking a bad question. To the extent that
23 the infectious disease doctors, and I'll focus on
24 Dr. Wenzel, the expert author by 3M, to the
25 extent that he has said that implant surgery

Page 325

1 patients, particularly total hip and total knee,
2 are at increased risk of infection, deep joint
3 infection because it takes a smaller bacterial
4 load to create a deep joint infection, you would
5 defer to the infectious disease doctor; is that
6 fair?

7 A Yes. Of course, Dr. Wenzel knows a lot
8 more about this topic than I do. But the
9 question was, are these patients at higher risk?
10 And I don't believe that the answer is yes; it's
11 no. Orthopedic joint surgeries are among the
12 lowest risk surgeries for surgical site
13 infections and deep joint infections.

14 Q Right. And you certainly agree that deep
15 joint infections is one of the top concerns of an
16 orthopedist doing a total hip or total knee surgery
17 because other things are sort of --

18 A Well, I would say it's probably one of
19 the top things that they would like to avoid.

20 Q Okay. And some of the potential risk to
21 those patients has to do with the dose of
22 bacteria required to create an infection; would
23 you agree with that?

24 A Perhaps.

25 Q In any event, getting back to Dr. Uggens's

1 editorial piece, he is identifying that these
2 sort of concerns about forced-air warmer devices,
3 like the Bair Hugger, creating an increased risk
4 of surgical site contamination both through what
5 I'll call the dirty machine theory, the facts
6 that bugs can grow in a swab from the inside, and
7 also because they disrupt the airflow in an
8 operating room, he identifies that as areas
9 warranting further study, right?

10 A Yes.

11 Q And you certainly have seen other
12 articles that have requested additional study on
13 these issues?

14 A Virtually every clinical paper at the end
15 says "require further study," regardless of the
16 result.

17 Q Sure. For example, the International
18 Consensus on prevention of periprosthetic joint
19 infection, when they got together in 2014, you
20 know from your research and following the issues
21 that ICOS identified or noted the agreement with
22 the theoretical risk associated with use of
23 forced-air warming intraoperatively, and
24 identified that as an area that required
25 additional research?

Page 327

1 A I believe that's correct.

2 Q And as you sit here today, do you know
3 whether 3M has done the additional research that
4 the International Consensus called for in 2014?

5 A Well, since 2014?

6 Q Yes.

7 A I mean, 3M has done research looking at,
8 for example, comparing infection rate differences
9 between patients who are warmed with a device or
10 the HEPA filter and ones that were not. I mean,
11 that's an example of additional research in that
12 area.

13 Q And that was the one comparing Bair
14 Hugger to the Stryker Mistral?

15 A Yes.

16 Q Were there any other studies that you're
17 aware of?

18 A Well, there may be others. I mean, I
19 can't recall right now. But again, this is an
20 opinion piece.

21 Q Sure. Do you recall the study -- and
22 it's in your library, I did not bring the copy
23 that's in your library, but I can get it if we
24 need, from a group of researchers associated with
25 Stanford last year?

1 A Brock-Utne?

2 Q Yes, absolutely.

3 A I recall that paper.

4 Q Do you know Dr. Brock-Utne or any of his

5 --

6 A No, I've never met him.

7 Q But you recall reading the article?

8 A I did read it.

9 Q And you understand that those are -- it's
10 a group of anesthesiologists working at Stanford
11 University?

12 A Yes.

13 Q And you know from their paper that they
14 also sampled bacteria from the inside of both the
15 Bair Hugger and the hose?

16 A I don't recall specifically the details
17 of the paper. I do recall reading it; but yes.

18 Q Do you recall that they concluded that
19 the Bair Hugger patient-warming device could be a
20 source of airborne microbial contamination in an
21 operating room?

22 A It wouldn't surprise me to find out that
23 they said it could be.

24 Q All right. And so that would have been
25 April of 2021. Is that consistent with your

Page 329

1 recollection?

2 A I believe so, yes. Yes, it's a recent
3 paper. Yes, relatively.

4 Q And do you know -- do you, Mr. Van Duren,
5 know as you sit here today, know whether 3M has
6 done -- has contacted these authors?

7 A I don't know.

8 Q Do you know if 3M has done anything to
9 sort of follow up on the research that the
10 Stanford University folks did identifying Bair
11 Hugger as a potential source of bacterial
12 contamination in the operating room?

13 A Well, again, I don't know. But I am not
14 associated with that business anymore; so they
15 may well have, I just don't know.

16 Q Right. And I'm asking because I know the
17 paper is in your library and maybe --

18 A I'm sure it's in many people's library at
19 3M.

20 Q Sure. Sure. And so at any rate, if they
21 have recommended also to do some additional
22 study, as far as you know that hasn't started
23 since April of 2021?

24 A Not to my knowledge.

25 Q All right. Do you know if there are any

1 follow-up studies at all that these folks are
2 conducting?

3 A I don't know.

4 Q As you reviewed various papers related to
5 diagnoses of periprosthetic joint infection,
6 would you agree that likely the rate of
7 periprosthetic joint infection is underreported?

8 A I think it's unlikely that the rate of
9 deep joint periprosthetic joint infection is
10 underreported. It could be, but it seems
11 unlikely. It's such a devastating outcome that
12 it almost certainly is going to get reported.

13 Q Sure. As you've done sort of research,
14 and I know you have some pieces from the
15 Department of Health and Human Services on
16 definitions of deep joint infection and sort of
17 timing of those, you'd agree that, for example,
18 the majority of deep joint infections that are
19 diagnosed within two years of surgery are
20 believed to be from bacteria that was inoculated
21 during the time the surgical wound was open in
22 the operating room?

23 MR. GORDON: I'm going to object to lack
24 of foundation.

25 Q If you know.

Page 331

1 MR. GORDON: Also form.

2 A Again, I'm not a microbiologist, so I'm
3 not an expert in this field. But that's
4 certainly one possible route of infection for
5 sure.

6 Q And do you know, as you sit here today,
7 that whether sort of the belief in the infectious
8 disease and orthopedic community, is that if a
9 deep joint infection is diagnosed within two
10 years of an operation, that the presumption is
11 that the bacteria that caused that infection was
12 introduced during the time of the surgery? If
13 you don't know, it's all right.

14 A Well, again, I think there is some
15 controversy about the source of this bacteria.
16 It could be endemic from, you know, bad
17 dentition, multiple internal sources, pneumonias
18 and things like that are frequently thought to be
19 sources of the infection, especially late ones
20 that occur two years after the surgery. But yes,
21 it's true that inoculation during the surgery is
22 also thought to be a cause.

23 Q And it's thought to be the cause of most
24 of the periprosthetic joint infections, right?

25 A I don't know that for a fact.

1 Q Okay. You have, from time to time, been
2 involved in drafted various marketing-related
3 pieces, including with respect to patient
4 temperature management; is that right?

5 A Drafting?

6 Q Yeah.

7 A The whole piece, marketing piece? No.

8 Q Okay. But it would certainly be your
9 expectation and practice that the marketing
10 pieces would be accurate and fair, fair?

11 A Yes.

12 (Whereupon, Exhibit 34 was marked for
13 identification.)

14 BY MS. ZIMMERMAN:

15 Q Do you recognize this document, Mr. Van
16 Duren?

17 A I do.

18 Q Is this a document that you wrote?

19 A I did.

20 Q And if you flip to the very last page, it
21 has sort of a revision history. It says the
22 first draft was created on November 14th of 2018;
23 is that right?

24 A Yes.

25 Q And then on November 26th of 2018, it

Page 333

1 says "added citations"?

2 A Yes, I see that.

3 Q And then December 6th of 2018, it says,
4 "Legal, regulatory and professional services
5 revisions," right?

6 A Yes.

7 Q But the author is confirmed here to be
8 yourself?

9 A Yes.

10 Q This is an eight-page document; is that
11 right?

12 A Yes.

13 Q And the very first page includes the 3M
14 logo and it says "temperature management
15 business. Fast facts for sales rep," right?

16 A It does, yes.

17 Q This is an internal document that you
18 prepared at 3M?

19 A Yes.

20 Q And you also -- it's called a "technical
21 brief" at the top?

22 A I think that's what it was titled, yes.

23 Q And so you would certainly be endeavoring
24 to provide correct and accurate information to
25 the sales rep so they can provide fair and

1 accurate information to the customers purchasing
2 temperature management products, fair?

3 A Or giving them information, yes.

4 Q All right. And if you flip to, I guess,
5 what's page 2 of 8, at the beginning it's titled:
6 "Patient Warming Essentials. A Quick Reference
7 Guide," right?

8 A Yes.

9 Q Towards the back, you even have read
10 terms defined in a glossary at the end of your
11 memo. Actually, the glossary starts on page 4.

12 A Okay, yes.

13 Q Okay. But the real, sort of, crux of the
14 memo itself appears on page 2 and 3; is that
15 right?

16 A Yes.

17 Q And essentially you have, it looks like
18 18 numbered, I don't know if you call them facts
19 or I guess you call them "patient warming
20 essentials"; is that right?

21 So it starts out number one: "The
22 patient warming has three distinct goals:
23 Increase preoperative mean body temperature;
24 maintenance or restoration of intraoperative core
25 body temperature in the normal thermic range

Page 335

1 between 36 to 37.5 degrees celsius; and
2 post-operative thermal comfort and normothermia";
3 is that right?

4 A Yes.

5 Q And you got an end note there number one?

6 A Yes.

7 Q I would like to focus your attention on
8 number 2 here. It says, "The purpose of patient
9 warming is the reduction of risk of the following
10 post-operative consequences."

11 You list: "Surgical wound infection,
12 increased length of hospital stay, transfusion
13 requirement, intraoperative blood loss, cardiac
14 erythremias, myocardial injury, protein
15 utilization, extended duration of neuromuscular
16 blockade, increased PACU time, adrenergic
17 activation" --

18 A Adrenergic.

19 Q "Adrenergic." "-- post-operative shiver,
20 and thermal discomfort."

21 And you say: "Each one of the adverse
22 consequences listed here had at least one
23 randomized control trial that demonstrates
24 increased risk associated with intraoperative
25 hyperthermia"; is that right?

1 A That's right.

2 Q And so you are instructing the sales reps
3 that each one of these potential conditions is
4 associated with hypo -- intraoperative
5 hypothermia, and that there is an RTC that proves
6 that, right?

7 A Yes.

8 Q And then you list -- there's again a
9 footnote number one. And if you go to the back,
10 reference number one is Sessler from 2016,
11 "perioperative thermal regulation and heat
12 balance." That's the citation that you have with
13 respect to each of those potential problems
14 following intraoperative hypothermia; is that
15 right?

16 A Yes.

17 Q So the full basis for the claim that
18 you're instructing the sales reps about with
19 respect to potential problems associated with
20 intraoperative hypothermia is addressed by that
21 Sessler paper, right?

22 A Well, except that I would not call it a
23 claim. Claims have specific meaning, FDA
24 terminology, so we wouldn't make it a claim.
25 This is just an assertion that each of these

Page 337

1 adverse consequences has a randomized control
2 trial that associates intraoperative hypothermia
3 with an adverse outcome.

4 Q All right. As you were preparing and
5 finalizing this memo in 2018, you were
6 instructing the sales representatives that each
7 of these morbidities is associated with
8 intraoperative hypothermia?

9 A Yes.

10 Q And that that is all demonstrated by the
11 Sessler article from 2016?

12 A Well, it contains a much lengthier
13 explanation of it than this one paragraph, yes.

14 Q So do you know, is the Sessler paper
15 actually an RCT itself or does it list --

16 A No, it's a review.

17 Q It's a review paper?

18 A Yes.

19 Q All right. So it's a series of
20 potentially RCTs that justify this assertion that
21 intraoperative hypothermia helps patients, right?

22 A That's -- the paper that I'm citing here
23 is the --

24 Q Basis for your claim?

25 A Exactly.

1 Q Basis for your assertion?

2 A Yes.

3 Q Flipping to the next page, page 3 of 8.

4 It looks like you have responses to customer
5 objections or questions there. Do you see that?

6 A I see it.

7 Q And the one that I'll direct you to is
8 highlighted in yellow there. It says, in
9 quotations -- and I assume that this is a
10 customer question or objection that is received
11 by the field from time to time; is that fair?

12 A Yes.

13 Q And that question is quote: "Forced-air
14 warming contaminates the sterile field and leads
15 to increased risk of surgical infection", right?

16 A Yes.

17 Q And that's because this particular
18 question had been raised basically throughout
19 your time at both Augustine, Arizant, and ongoing
20 at 3M, fair?

21 A Yes.

22 Q And the response that you instruct the
23 sales representatives to provide says, "There is
24 now substantial clinical, scientific,
25 microbiological, engineering, and epidemiological

Page 339

1 evidence that forced-air warming systems do not
2 increase the risk of surgical site infection in
3 either laminar or conventionally-vented operating
4 rooms. Normothermia provides substantial
5 clinical benefits, including a reduction in
6 surgical infection risk."

7 You cite to number 26, and then you say,
8 "see fawfacts.com for more details." Do you see
9 that?

10 A I do, yes.

11 Q Did I read that correctly?

12 A Yes.

13 Q And what is your basis for your
14 instruction to the sales representative that
15 there is substantial clinical evidence, excluding
16 forced-air warming as a potential for increasing
17 the risk of surgical site infection?

18 A Well, I cited a paper by Scott and a
19 number of other authors, which is a randomized
20 control trial that looked at outcomes associated
21 with the use of forced-air warming in hospital
22 patients, at a large hospital system.

23 Q And Scott's an RCT?

24 A Yes.

25 Q And did it address each of the issues

1 that you list in your response to customer
2 objections or questions?

3 A I think there's a partial review of each
4 of those within that paper. That's a very long
5 paper. I don't remember every detail about that
6 paper, but it addresses those.

7 Q What specifically is the microbiological
8 evidence that you point to that eliminates Bair
9 Hugger as increasing the risk of surgical
10 infection?

11 A Well, I wouldn't say completely
12 eliminates, but evidence suggests, in clinical
13 medicine anyway, and I mean papers like Avadon,
14 for example, or the activities that were done by
15 Mike Reed and his colleagues, where the -- you
16 know, where they were unable to culture bacteria
17 from beneath a blanket, from a forced-air warming
18 system with a blanket, for example, compared to,
19 you know, systems where they didn't have a
20 blanket.

21 Q So with respect to the statement that --
22 the instruction that you're providing to the
23 sales force confirming that there is substantial
24 microbiological evidence that forced-air warming
25 systems do not increase the risk of surgical site

Page 341

1 infection, you're pointing to Avadon and Reed.

2 Anything else?

3 MR. GORDON: Object to form of the
4 question.

5 A Oguz is an example.

6 Q Anything else? No internal studies,
7 right?

8 A No, no internal studies.

9 Q And you agree that even with respect to
10 this microbiological evidence, that the potential
11 for risk has not been completely eliminated even
12 with the citations that you've listed today?

13 A Well --

14 MR. GORDON: Object to form of the
15 question.

16 A -- the purpose of evidence is to justify
17 the acceptance of a particular perspective or
18 point of view. It doesn't mean that it's
19 impossible, but it suggests that the use of this
20 device is not associated with an increased risk
21 in infection.

22 Q Does the statement that you provide to
23 the sales reps and how to respond to questions or
24 objections from customers say there is evidence
25 to suggest that there is no risk of surgical site

1 infection? Or does it say that these systems do
2 not increase the risk of surgical site infection?

3 A I'm sorry, state again?

4 Q So I heard you to say that the issue here
5 is, you know, that these different papers, you
6 use them as evidence to suggest, you know, Reed
7 didn't culture bacteria coming out of the blanket
8 and that means there's microbiological evidence
9 to suggest forced-air warming does not increase
10 the rate of surgical site infection; is that
11 right?

12 A As an example, yes.

13 Q That's the example that you provided.
14 And so -- but the language that you actually
15 chose to write in instructing these sales
16 representatives is not, well, the evidence
17 suggests that there is not a problem, there's not
18 an increased risk of surgical site infection.
19 You said: "Forced-air warming systems do not
20 increase the risk of surgical site infection,"
21 right?

22 A Well, I said there's evidence.

23 MR. GORDON: Object to form of the
24 question.

25 Q And this whole article, by the way, Scott

Page 343

1 is comparing forced-air warming to no forced-air
2 warming or what is he comparing, do you know?

3 A Well, it's patients who were warmed
4 according to the SKIP protocol, either with
5 forced-air warming.

6 Q Or something else?

7 A Or some other method.

8 Q Right.

9 A What Scott demonstrates is that the vast
10 majority of the patients in that paper were
11 warmed with forced-air warming; some were not,
12 but the vast majority were. And other patients
13 did not get warmed according to the SKIP
14 protocol, so that's what he's comparing.

15 Q He's comparing -- but he's not comparing
16 forced-air warming patients to other warmed
17 patients?

18 A No, he's comparing patients who are
19 normothermic to patients who are not
20 normothermic.

21 Q All right.

22 A I'm also referring them to the
23 fawfacts.com for more details.

24 Q Do you know if his results were
25 clinically significant? For wound infection, I'm

1 sorry.

2 A Well, so for a composite, so what Scott
3 did was he combined several adverse outcomes into
4 a single outcome. And that outcome was
5 significantly less in the normothermic group. So
6 I think it was a composite of surgical site
7 infection, plus things like -- infections, like
8 pneumonias and bladder infections and things like
9 that, and one other thing that I can't recall
10 right now.

11 Q So he's looking at a lot of different
12 sort of issues. He's comparing warmed patients
13 and non-warmed patients?

14 A Well, I'm not describing it very well
15 probably. But he compared -- he compared the
16 same outcomes in two different groups of
17 patients. One group of patients that was
18 essentially hypothermic or had not been treated
19 according to the SKIP protocol, to a group of
20 patients who were normothermic and had been
21 treated to the SKIP protocol.

22 And he showed, interestingly enough, that
23 whether you follow the SKIP protocol or measure
24 temperature, core temperature, that you got
25 roughly the same result.

Page 345

1 Q Fair to say that the Scott paper isn't
2 looking specifically at deep joint infection,
3 fair?

4 A No, it's looking at a number of surgical
5 types.

6 Q Right. And at any rate, in your
7 instruction here in this memo to sales
8 representatives, you're not identifying something
9 specific or special training to, for example, the
10 sales reps that deal with orthopedic surgery or
11 orthopedic surgeons, those sort of different
12 patients, right?

13 A This is a general statement.

14 Q This is a general statement. And your
15 instruction to those sales reps is that there is
16 substantial clinical, scientific,
17 microbiological, engineering and epidemiological
18 evidence that forced-air warming systems do not
19 increase the risk of surgical site infection,
20 right?

21 A Yes.

22 Q You don't say anything in here that there
23 are a number of different researchers that are
24 calling for additional study on this, correct?

25 A No, I did not say that.

1 Q And there is no differentiation here
2 saying that there may be certain patient
3 populations where the healthcare provider team
4 should take another look, fair?

5 A I did not say that.

6 Q And you certainly knew by the time that
7 you were writing this in 2018, that the
8 International Consensus, for which 3M is a
9 platinum sponsor, has raised and requested
10 additional study into the safety of forced-air
11 warming in orthopedic joint replacement surgery
12 be done, fair?

13 A Well, I'm aware that there was an opinion
14 piece by one of the people in that group that
15 suggested that, yes.

16 Q You know that in the 2014, sort of
17 questions and answers from the consensus, that
18 they agreed with the theoretical risk presented
19 by forced-air warming and identified that as an
20 area that needed more study, right?

21 A Well, I believe that the vast majority
22 also agreed that it wasn't a cause of additional
23 risk.

24 Q Fair to say that as we sit here today,
25 you don't know what the International Consensus

Page 347

1 was specifically considering beyond sort of what
2 the citations they list publicly, right?

3 A Correct, I don't know.

4 Q And they certainly didn't have any
5 internal 3M documents, right?

6 A Not to my knowledge.

7 Q All right. So you and I have more
8 information as we sit here today in 2022 than
9 those folks did in 2014 about the potential risk
10 associated with forced-air warming in total joint
11 replacement patients, fair?

12 MR. GORDON: Objection, lack of
13 foundation.

14 A I have no way of knowing that.

15 Q And you and I may probably come down
16 differently on what that risk may be. But we
17 both have significantly more information about
18 what the company knew, what the company did, and
19 the various companies did to study this issue and
20 this risk across the years, fair?

21 MR. GORDON: Same objection.

22 A Again, I don't know that. I mean, there
23 are a lot of researchers at the International
24 Consensus meeting who actively studied this area,
25 so they may have more information.

1 Q But as you sit here you don't know?

2 A I don't know.

3 Q Fair to say you would expect that they do
4 not have any internal, confidential 3M documents?

5 A Well, they absolutely would not have any
6 confidential 3M documents.

7 Q Right. And this again, this was a memo
8 that you prepared for distribution to the sales
9 representatives and authored at the end of 2018;
10 is that right?

11 A Yes.

12 Q And again you, as would be your practice
13 personally and would be your expectation for 3M,
14 the information provided there should be fair and
15 accurate and reliable for your customers, right?

16 A Correct. And just to reiterate, it also
17 went through a legal, regulatory and professional
18 services review, in addition to my statement, in
19 order to make certain that it was compliant with
20 our code of conduct.

21 Q All right.

22 A Among other things.

23 Q And you -- that would be the code of
24 conduct that you sort of expected of yourself,
25 whether 3M imposed it or not --

Page 349

1 A Yes.

2 Q -- I think that's fair.

3 I'm going to hand you what's going to be
4 marked as 35, when I stop talking.

5 (Whereupon, Exhibit Number 35 was marked
6 for identification.)

7 BY MS. ZIMMERMAN:

8 Q Do you recognize this document, Mr. Van
9 Duren?

10 A I do recognize it.

11 Q And this is a document that you authored
12 that is dated October 10th of 2019, right?

13 A Yes.

14 Q And the title here, again it bears the 3M
15 logo at the top. The title on your document is:
16 "The review of optimized management of patient
17 normothermia health economics tool." Do you see
18 that?

19 A I do.

20 Q At this point it lists your name, it says
21 you're the global evidence development manager in
22 the 3M healthcare business group, right?

23 A Correct.

24 Q Again, this is a technical report. And
25 as is your practice and would be the expectation

1 at 3M, this is to be an accurate memorandum; is
2 that fair?

3 A Yes.

4 Q And I know you take pride in the work
5 that you do throughout your career, and that has
6 continued to be the case at 3M; is that right?

7 A Yes.

8 Q This is a short document. It's only five
9 pages, including the title sheet and the last
10 page 4 and 5 are really references. Do you see
11 that?

12 A Yes.

13 Q It looks like 29 different citations you
14 have here?

15 A Yes.

16 Q So your executive summary, you say, "Some
17 of the evidence used to develop this HE tool --"
18 so "HE" is health economics?

19 A Yes.

20 Q Is that sort of how a hospital or a
21 customer saves money?

22 A Well, health economics is the study of
23 the costs associated with the delivering
24 healthcare mainly.

25 Q All right. And so it says: "Some of the

Page 351

1 evidence used to develop this HE tools derives
2 from the NICE systemic review, which is now 11
3 years old. Much of the evidence used by the NICE
4 systematic review is relatively weak, over twenty
5 years old, and is not relevant to clinical
6 practices of today. I believe that you will
7 encounter resistance from customers if you try to
8 base economic estimates on this old data." Did I
9 read that correctly?

10 A Yes.

11 Q And you're sort of -- you're saying that
12 the health economics data was based on NICE, and
13 NICE is based on old information, right?

14 A Yes.

15 Q And customers are going to be suspicious,
16 reluctant, something like that, to hear you sort
17 of rely on that old, outdated data, fair?

18 A Yes, that was my opinion.

19 Q Okay. You say, "One major difference
20 between older and newer trials relates to the
21 practice of dichotomizing core temperature to
22 identify hypothermic from normothermic patients.
23 While the hypothermia threshold is typically
24 established at 36 degrees, the mean core of
25 patients in the under 36 degree celsius group

1 tends to be substantially lower in older studies
2 than what we see today"; is that right?

3 A Yes.

4 Q Incidentally, you've been involved in the
5 3M product where the actual temperature of the
6 patient is monitored; is that right?

7 A Yes, I'm the inventor of that.

8 Q Oh, you're the inventor of that. What's
9 that product called?

10 A Well, it was called Spot On.

11 Q That's what I thought.

12 A Now it's called the Bair Hugger
13 Temperature Monitoring System.

14 Q And there are a number of articles in
15 your library that indicate that the accuracy of
16 the temperature measured by that can be off, plus
17 or minus, a full degree celsius; is that right?

18 A It can be, yes.

19 Q There are, at least, I think three or
20 four articles alone that focus on that potential
21 variation?

22 A Yes.

23 Q And you'd agree, by the way, if the
24 temperature -- the device that takes the
25 patient's temperature is inaccurate, you may have

Page 353

1 inaccurate information about whether the patient
2 is normothermic or hypothermic, right?

3 A It's true, but all thermometers have
4 inaccuracies, not just the BHTMS.

5 Q Do you know if folks that -- or
6 healthcare facilities that use the Bair Hugger
7 blankets tend also to use, sort of the Spot On
8 and the Bair Hugger Temperature Monitoring
9 System?

10 A Many fewer. I mean, it's not a widely
11 purchased product.

12 Q Okay. All right. And you go on in your
13 executive summary here to say: "Due to the age
14 and study conditions, the effect sizes reported
15 by many of these older trials are unjustifiably
16 large and could lead to unreasonable estimates of
17 the economic benefits from patient-warming
18 interventions in a modern clinical environment.
19 I would not use this tool as the basis of a
20 risk-sharing agreement." Did I read that
21 correctly?

22 A Yes.

23 Q So basically some of these older trials
24 are not reliable for sort of the purposes we
25 maybe relied on them in the past for; is that

1 right?

2 A That was my opinion.

3 Q Okay. You go on and say: "Additionally
4 several review papers are cited as primary
5 evidence for various effect sizes. Review papers
6 are not acceptable sources of primary data for
7 health economic analysis tools." Did I read that
8 right?

9 A Yes.

10 Q You agree with that? Review papers are
11 not acceptable sources of primary data for health
12 economic analysis tools?

13 A That's my opinion.

14 Q Do you think that clinicians feel the
15 same way about review papers with respect to
16 decisions about treating their patients, not just
17 with respect to health economic decisions?

18 A Not all of them.

19 Q Not all of them but some?

20 A Some do.

21 Q All right. You say: "Please site and
22 use the original papers for these tools. Also,
23 while the evidentiary quality of newer trials may
24 be lower than some older trials, please use the
25 more modern estimates of effect sizes for this

Page 355

1 tool."

2 You say: "Thermal discomfort is
3 difficult but not impossible to monetize. Please
4 review some willingness to pay and welfare
5 economics papers that describe how to monetize
6 this outcome."

7 Is that because part of the focus of this
8 paper is sort of how to continue to sell patient
9 normothermia as a revenue generator for the
10 company?

11 A The point here is to try and establish
12 that the effects produced by using Bair Hugger or
13 maintaining normothermia are at least as great as
14 the cost of producing it. Yeah, at least as
15 great as the cost of producing normothermia.
16 That's kind of the goal of this activity.

17 Q So the benefits sort of justify investing
18 in the technology?

19 A Correct.

20 Q All right. And that's monetizing is
21 getting money either for the hospital or the
22 company or both?

23 A Monetizing these outcomes is necessary
24 because you have to compare -- you have to
25 compare the cost of the thing to the value

1 produced by the condition. And so you have to
2 convert these to something, money is the easiest
3 thing to do; but you can use other quantities as
4 well, but money is usually the easiest one to do.

5 Q Fair to say also for a medical device
6 company that is in the business of selling
7 devices, that identifying new potential
8 applications is going to monetize that technology
9 for the company?

10 MR. GORDON: Object to form of the
11 question.

12 A Well, the purpose of this is not to find
13 new applications. The purpose of this is to just
14 justify whether it's worth doing.

15 Q For the hospital or for 3M or for both?

16 A For the hospital.

17 Q All right. You then say: "If this is a
18 customer-facing document, please pick uniform
19 format for citations." That's just sort of
20 housekeeping as you're finalizing this memo?

21 A Correct. Yeah.

22 Q And so the review of major topics, you
23 won't be surprised is the part I'm interested in.
24 It says: "Risk of surgical site infection in
25 morbid cardiac events. Although the metaanalysis

Page 357

1 used to compute much of the economic burden of
2 complications from hypothermia are relatively
3 new, the underlying data is quite old and was
4 collected during a time when very large
5 differences existed between normothermic and
6 hypothermic patients. This large difference has
7 the effect of accentuating the effect size
8 associated with intervention. In fact, the
9 authors, Kurz and Frank, of the two most
10 important randomized-controlled trials have
11 concluded the estimate of the benefit computed
12 originally are likely too great now, and that now
13 that surgical patients are not permitted to
14 become as cold, regardless of what the warming
15 intervention is.

16 "There are now at least ten retrospective
17 studies that have detected no significant
18 differences in surgical infection rates between
19 normothermic and hypothermic patients."

20 Did I read that paragraph correctly?

21 A Yes.

22 Q So you're noting for, at least the
23 intended audience of this memorandum, that as
24 research has continued, that the dataset upon
25 which -- well, certainly 3M, relied to claim that

1 normothermia is going to result in a decrease or
2 a difference in surgical infection rates, is no
3 longer reliable; is that right?

4 A That was my opinion.

5 Q And there's a number of studies that
6 followed the Kurz and the Frank papers that
7 concurred with and supported your opinion; is
8 that right?

9 A Yes, much lower evidence value; but yes,
10 that's true.

11 Q And so at the time that you were writing
12 this anyways, it was your belief that claiming
13 that a difference in surgical infection rates
14 based on normothermia was no longer supported by
15 the literature; is that fair?

16 A Not that it wasn't supported, it's just
17 that the -- trying to quantify the effects might
18 not be appropriate now given the fact that
19 patients are no longer ever permitted to get that
20 cold.

21 Q There is a big difference in the sort of
22 normothermic and hypothermic patients in Kurz,
23 for example?

24 A My opinion was that the papers, the
25 earlier papers, the conditions that the patients

Page 359

1 were exposed to in the earlier papers are not the
2 same conditions that patients are exposed to now.

3 Q And the authors agreed with that when we
4 took their depositions as well.

5 But the ten retrospective studies you
6 point to concurred with your opinion that
7 essentially new data didn't support the claims
8 about a decreased risk of surgical site infection
9 between normothermic and hypothermic patients,
10 right?

11 A Right --

12 MR. GORDON: Object to the form of the
13 question.

14 A Correct, but those are much lower
15 evidence levels than randomized controlled
16 trials.

17 Q And so that was sort of what you're
18 summarizing for those folks.

19 You go on to say: "Although Frank did
20 not know it at the time, the rate of morbid
21 cardiac events he detected was far too low and
22 many investigators no longer cite this study for
23 that reason"; is that right?

24 A Correct.

25 Q So to the extent that perhaps

1 investigators used to cite Frank for the
2 proposition that there was fewer heart attacks if
3 you maintain normothermia, that is no longer --
4 that paper is generally not cited for that
5 proposition anymore, right?

6 A Well, it's not cited for the reason that
7 I cited there; and that is that the rate of
8 morbid cardiac events that he detected was
9 obviously way too low.

10 Q Okay. And you say, "For example, a very
11 large retrospective study by Frank and colleagues
12 showed no significant difference in wound
13 infection or myocardial infraction between groups
14 of patients who were normothermic and those that
15 were hypothermic," right?

16 A Correct.

17 Q Do you know, though, that in forced-air
18 warming facts and in some of the marketing
19 materials were some of the claims that 3M and
20 Augustine and Arizant made about the benefit of
21 normothermia, a decreased risk of surgical site
22 infection and a decreased risk of myocardial
23 infraction?

24 A Were they? Yes.

25 Q Yes. And so in this memo you're saying

Page 361

1 that evidence may not be good?

2 MR. GORDON: Object to form of the
3 question, lack of foundation.

4 MS. ZIMMERMAN: It's his memo.

5 A Again, I just want to make it clear that
6 we have never claimed that the use of Bair Hugger
7 reduces the risk of myocardial infraction or even
8 surgical site infection. We never make that
9 claim.

10 Q And so if somebody stood up in court and
11 said that using Bair Hugger reduces the risk of
12 surgical site infection, that would be false,
13 right?

14 A Well, it may not be false, but we would
15 never make that claim.

16 MR. GORDON: I think we're past the
17 two-hour mark.

18 THE VIDEOGRAPHER: We're at two hours and
19 two minutes.

20 MR. GORDON: And that concludes.

21 MS. ZIMMERMAN: Well, you can sort of let
22 me finish this and we can go to the Court if we
23 need to with the 500 things that were produced
24 late. Or you can see --

25 MR. GORDON: We agreed to two hours.

1 I've given you more than two hours.

2 MS. ZIMMERMAN: Well, in fairness,
3 though, you agreed to two hours before we got 500
4 and some odd articles.

5 MR. GORDON: As you acknowledge, the vast
6 majority of which have nothing to do with patient
7 warming. So I mean, there were probably what, a
8 dozen articles, two dozen articles at most that
9 had something to do with patient warming.

10 And that's fine. Do you have one or two
11 more questions?

12 MS. ZIMMERMAN: I'm going to finish this
13 memo at least.

14 MR. GORDON: I'll let you ask, you know
15 -- I'll give you two more minutes.

16 MS. ZIMMERMAN: You let me know. I have
17 got plenty more questions.

18 MR. GORDON: I have no doubt, but we
19 agreed to a time limit.

20 MS. ZIMMERMAN: Before you produced the
21 documents.

22 I mean, just so we're all aware, and
23 we're likely to see each other again. We've got
24 other cases going. There's been subsequent
25 productions just from Mr. Van Duren. There

Page 363

1 certainly hasn't been a production from 3M
2 besides the voluntary production here.

3 MR. GORDON: Counsel, I'm letting you ask
4 a couple more questions, so why don't you take
5 advantage of that.

6 MS. ZIMMERMAN: All right. Well, I mean,
7 I just -- I think that the transcript will
8 preserve our objection to your ending of the
9 deposition for the Court and the Court can rule
10 on it when we get there.

11 MR. GORDON: Well, I ended it more than
12 two hours of time. And now I'm giving you a
13 couple more minutes even after the extra time, so
14 that's what the record will reflect.

15 MS. ZIMMERMAN: All right. Understood.
16 We missed each other, didn't we, Corey?

17 BY MS. ZIMMERMAN:

18 Q Similarly, Mr. Van Duren, you have a
19 notation in this memo, the major topics, the need
20 for mechanical ventilation. And you note that
21 there are two studies that are used to provide a
22 relative risk assessment for essentially a
23 difference in mean core temperature differences
24 between normothermic and hypothermic patients.

25 And then you say, "In neither study was

1 the need for mechanical ventilation significantly
2 different between the groups; and in the
3 metaanalysis, the confidence interval was .96 to
4 2.61, which is also nonsignificant," right?

5 A Yes.

6 Q And so in your opinion, as you were
7 writing this memo, it would be inappropriate to
8 claim that keeping a patient normothermic may
9 prevent the need for mechanical ventilation.
10 That's what you're trying to say here, right?

11 A Based on this evidence, yes.

12 Q Right. Moving on, you talk -- the next
13 sort of topic is that there -- is regarding the
14 need for blood transfusion.

15 You say in your memo: "The NICE analysis
16 for blood transfusion included six studies
17 conducted 1994, 1996, 1997, 1999, 2000 and 2002?"

18 You say, "The transfusion thresholds were
19 substantially different in the era during which
20 these studies were conducted. Moreover, in two
21 subsequent analyses in which cell saver and
22 overlap studies were excluded, there were no
23 significant differences between the groups."

24 Fair to say that you're saying in this
25 memo that any claim that normothermia may prevent

Page 365

1 a need for blood transfusion would be
2 inappropriate?

3 A Based on these studies, yes.

4 Q All right. Similarly, you go on, the
5 next topic is the extra length of stay in the
6 recovery group. And you say, "Except for the
7 study by Smith, all the studies included in the
8 metaanalysis were conducted in 1990s. So the
9 core temperature difference is tended to be
10 substantially larger than those seen today.

11 "In the study by Lenhardt, et al, for
12 example, the mean intraoperative core
13 temperatures in the control groups was 34.8
14 degree celsius, and the core temperature
15 difference was purposefully maintained at 2.0
16 degrees celsius between the warmed and unwarmed
17 patients.

18 "In the trial by Smith, patients in the
19 control group received --" it looks like --
20 "saline. And no patient was warmed intra or
21 postoperatively.

22 "Interestingly, the most recent study
23 included in the metaanalysis found no difference
24 in ICU time between the groups, although there
25 was a substantial increase in cost in the

1 treatment group."

2 This sort of section of your paper is to
3 suggest that any claims, that if you don't keep a
4 patient normothermic, they may end up with an
5 extra long stay in the PACU would be
6 inappropriate or false, right?

7 MR. GORDON: Object to the form of the
8 question.

9 Q Unsupported by the literature you're
10 citing.

11 A Exactly.

12 Q Is that a better answer?

13 A Yes.

14 Q The next topic you identify is increase
15 in mortality. You know that there have been
16 claims at various points that maintaining
17 normothermia intraoperatively may result in a
18 decrease in mortality, right?

19 A We have never made that claim.

20 Q And it would be inappropriate for
21 somebody to do so, correct?

22 A But we would never make that claim.

23 Q All right. But you've identified that as
24 a claim that somebody perhaps may make. And here
25 you say, essentially, that that would not be a

Page 367

1 claim justified by the literature you cite to?

2 MR. GORDON: Object to the form of the
3 question, also mischaracterizes the document
4 which speaks for itself.

5 Q And you have two other ones. One is
6 post-operative shiver and one is thermal
7 discomfort.

8 In post-operative shiver, you sort of
9 again, you say that the papers that are cited are
10 from sort of the mid-90s and practices were very
11 different. The only one of the papers cited is a
12 trial, the other is a review. "Review papers
13 should not be used as a primary reference for
14 health economic tools," right?

15 A My opinion.

16 Q Yep. So you're saying with respect to
17 post-operative shiver anyways, the data that
18 you're citing to and reviewing doesn't suggest
19 that is a benefit of maintaining normothermia,
20 right?

21 A Correct.

22 Q And then the last sort of claim that you
23 identify here is thermal discomfort. Your memo
24 says, "While it's true patients dislike thermal
25 discomfort, the paper cited in the tool is a

1 review and does not describe a method to monetize
2 this outcome. Please review some willingness to
3 pay in welfare economic papers that describe how
4 to monetize this outcome," and you cite some
5 papers, right?

6 A Yes.

7 Q Based on this memo are you making a
8 recommendation on whether it's appropriate to
9 claim patient thermal discomfort is an adequate
10 -- or pardon me, an appropriate health objective
11 to achieve through the Bair Hugger?

12 MR. GORDON: Object to the form of the
13 question.

14 A It's absolutely an important health
15 outcome for virtually all surgical patients, yes.

16 Q Patients don't like to be cold; is that
17 fair?

18 A Right. And I was making a recommendation
19 about how they could monetize the outcome to do
20 this analysis.

21 Q Reasonable to say that patients that are
22 anesthetized in an operating room are not aware
23 whether they're cold or warm?

24 A Not during surgery, but certainly they
25 are afterward.

Page 369

1 MR. GORDON: You've gone through the end
2 of this document.

3 We're ending the deposition and I've
4 given you quite a bit of extra time.

5 MS. ZIMMERMAN: Corey, you don't have to
6 storm out. I understand your position. I'm just
7 going to put on the record that it is our
8 position that the two-hour limitation was offered
9 and we appreciate that. It was offered though
10 prior to producing 500 and some articles, a large
11 majority of which do touch on normothermia and
12 Bair Hugger-related issues. They're not just on
13 wound infection, wound therapy or PKC line
14 infection prevention, which I did read as well.

15 I appreciate that you produced the
16 witness. And I don't mean any offense to you at
17 all, Mr. Van Duren. I expect we'll see each
18 other again probably at some point; whether with
19 respect to the documents in this library and some
20 of the memos that you wrote, or some of the other
21 documents that we expect to be produced sometime
22 later.

23 So I hope you both have a nice weekend.

24 MR. GORDON: We'll read and sign.

25 THE VIDEOGRAPHER: We're off the record.

1 (Whereupon, the deposition
2 adjourned at 12:01 p.m.)
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Page 371

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE

I, Amy C. Lundgren, RPR, hereby certify that I am qualified as a verbatim shorthand reporter; that I took in stenographic shorthand the testimony of ALBERT VAN DUREN, at the time and place aforesaid; and that the foregoing transcript consisting of 123 pages is a true and correct, full and complete transcription of said shorthand notes, to the best of my ability.

Dated at Crosby, Minnesota, this 22nd of April, 2022.

AMY C. LUNDGREN
Notary Public

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

S I G N A T U R E P A G E

I, ALBERT VAN DUREN, the deponent,
hereby certify that I have read the
foregoing transcript, consisting of 123
pages, and that said transcript is a true
and correct, full and complete transcription
of my deposition, except per the attached
corrections, if any.

PAGE	LINE	CHANGE/REASON FOR CHANGE
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____
_____	_____	_____

Date _____ Signature of Witness _____

WITNESS MY HAND AND SEAL this _____
day of _____, 2022.

(ACL) _____

